



អង្គជំនុំជម្រះវិសាមញ្ញក្នុងតុលាការកម្ពុជា
Extraordinary Chambers in the Courts of Cambodia
Chambres Extraordinaires au sein des Tribunaux Cambodgiens

ព្រះរាជាណាចក្រកម្ពុជា
ជាតិ សាសនា ព្រះមហាក្សត្រ

Kingdom of Cambodia
Nation Religion King
Royaume du Cambodge
Nation Religion Roi

អង្គជំនុំជម្រះសាលាដំបូង
Trial Chamber
Chambre de première instance

ឯកសារដើម
ORIGINAL/ORIGINAL
ថ្ងៃ ខែ ឆ្នាំ (Date): 14-Nov-2012, 14:40
CMS/CFO: Krystal THOMPSON

TRANSCRIPT OF TRIAL PROCEEDINGS
Hearing on accused Ieng Sary's fitness to stand trial
PUBLIC
Case File N° 002/19-09-2007-ECCC/TC

8 November 2012
Trial Day 129

Before the Judges: NIL Nonn, Presiding
Silvia CARTWRIGHT
YA Sokhan
Jean-Marc LAVERGNE
YOU Ottara
THOU Mony (Reserve)
Claudia FENZ (Reserve)

The Accused: Ieng Sary

Lawyers for the Accused:

ANG Udom
Michael G. KARNAVAS
Andrew IANUZZI
Arthur VERCKEN

Trial Chamber Greffiers/Legal Officers:

DUCH Phary
Roger PHILLIPS
SE Kolvuthy

Lawyers for the Civil Parties:

PICH Ang
Élisabeth SIMONNEAU-FORT
SIN Soworn
SAM Sokong
Beini YE
Christine MARTINEAU
Pascal AUBOIN
VEN Pov
CHET Vanly
Nushin SARKARATI

For the Office of the Co-Prosecutors:

CHAN Dararasmey
William Smith

For Court Management Section:

UCH Arun
SOUR Sotheavy

INDEX

MR. JOHN CAMPBELL

Questioning by the President page 9

Questioning by Judge Cartwright page 17

Questioning by Mr. Karnavas page 37

Questioning by Mr. Smith page 99

Questioning by Mr. Chan Dararasmey page 131

List of Speakers:

Language used unless specified otherwise in the transcript

Speaker	Language
MR. AUBOIN	French
MR. CAMPBELL	English
JUDGE CARTWRIGHT	English
MR. CHAN DARARASMEY	Khmer
MR. CHET VANLY	Khmer
MR. IANUZZI	English
MR. KARNAVAS	English
THE PRESIDENT (NIL NONN, Presiding)	Khmer
MS. SIMONNEAU-FORT	French
MR. SMITH	English

1

1 P R O C E E D I N G S

2 (Court opens at 0909H)

3 MR. PRESIDENT:

4 Please be seated. Good morning.

5 In the name of the President of the Trial Chamber, I would like
6 to warmly welcome Co-Prosecutors, counsels for the accused
7 persons, Lead Co-Lawyer and lawyers for the civil parties, and
8 the parties to the proceedings.

9 Today's hearing is scheduled to hear the expert, Professor John
10 Campbell, who has examined the accused person and who has - who
11 has submitted the medical report on the medical status of Mr.
12 Ieng Sary.

13 Mr. Ieng Sary had been admitted to the Khmer-Soviet Friendship
14 Hospital from the 7th of September until yesterday, the 7th of
15 November 2012. It is hoped that today's hearing will be the
16 opportunity for the Chamber, the Judges of the Bench, and the
17 parties concerned to examine this report.

18 [09.12.18]

19 I would like to declare the hearing open.

20 Before I proceed to present - the presentation of the medical
21 report and questions will be put to the expert, the Chamber
22 wishes to also remind the parties to the proceedings that the
23 Chamber has noted that Mr. Ieng Sary has some health concerns and
24 he kept asking the Chamber to allow him to observe the
25 proceedings from his holding cell almost during the entire time

1 during the proceedings.

2 In light of that, on the 24th of August 2012, the Trial Chamber
3 made a request to Mr. John Campbell and Dr. Seena Fazel, and Dr.
4 Huot Lina, who were present in Cambodia at that time, when they
5 were examining the fitness to stand trial of Ms. Ieng Thirith, to
6 preliminarily examine medical condition of Mr. Ieng Sary and
7 submit such a report to the Trial Chamber accordingly - document
8 E222.

9 The three experts submitted their preliminary report to the Trial
10 Chamber on the 3rd of September 2012 - document E222/1. In that
11 first report, the three experts concluded that Mr. Ieng Sary was
12 mentally and physically fit to plead and stand trial.

13 [09.14.29]

14 On the 7th of September 2012, the accused person Ieng Sary was
15 admitted to the Emergency Section of the Khmer-Soviet Friendship
16 Hospital, where he remained hospitalized until yesterday, the 7th
17 of November 2012, when the Trial Chamber made a request to the
18 Office of Administration to make an arrangement so that Mr. Ieng
19 Sary could be returned to the detention facility of the ECCC so
20 that he could also participate in today's hearing when the
21 Chamber hears the expert's opinion on his medical status.

22 The Chamber also received medical reports from the experts while
23 Mr. Ieng Sary remained hospitalized. To date, there has not been
24 any confirmation as to the future development of Mr. Ieng Sary's
25 medical condition. It is not known when he will be well enough to

1 participate in the trial proceedings.

2 [09.16.08]

3 To that effect, after having listened to the treating doctors on
4 the 21st of September 2012 and that, on the 24th of September
5 2012, the Trial Chamber indicated to the parties that the Chamber
6 would submit all the relevant medical reports concerning Ieng
7 Sary's medical treatment at the Khmer-Soviet Friendship Hospital
8 to Professor Campbell, along with the transcript of the Court
9 proceedings of the 7th of September 2012, in which these doctors
10 took the stand, giving their testimonies before the Chamber
11 concerning the relevant medical issues of Mr. Ieng Sary.

12 Upon having received and examined all the reports, the relevant
13 medical reports, Dr. - rather, Professor Campbell indicated to
14 the Trial Chamber that, according to the medical related
15 documents he received, he found it difficult to be persuaded as
16 to the changes in Ieng Sary's diagnosis since the last time he
17 examined the accused person.

18 Therefore, on the 8th of October 2012, the Trial Chamber issued
19 the reappointment doctor - rather, order, appointing Professor
20 John Campbell to re-examine Mr. Ieng Sary. The next examination
21 was done in - with the assistance of another Cambodian medical
22 doctor - document E238.

23 [09.18.19]

24 On the 23rd of October 2012, after having reviewed the brief
25 biography submitted to the Trial Chamber by the WESU unit, the

1 Trial Chamber ordered the appointment of Cambodian doctor, Dr.
2 Lor Vann Thary<v> to work with Professor Campbell - document E239
3 - to examine Mr. Ieng Sary on the 4th of November 2012. The
4 assignments to these two doctors include: first--
5 a) Examine Ieng Sary and review all recent medical information
6 and tests conducted on him since Professor Campbell last
7 reported;
8 b) Conduct or have conducted any additional testing that he
9 considers appropriate to assist in reaching a diagnosis;
10 c) Consult with any other qualified person such as a radiologist,
11 whose assistance might be helpful in interpreting or confirming
12 his conclusions on test results or on the local availability of
13 specific medical tests he considers essential for a diagnosis of
14 Ieng Sary's current health status;
15 d) Advise the Trial Chamber if any such medical tests are not
16 available in Phnom Penh and/or whether there is a sufficient
17 medical or technological skill based in Phnom Penh to administer
18 those tests adequately;
19 [09.20.34]
20 e) Report to the Trial Chamber on where and under what conditions
21 medical tests that he considers are essential for confirming a
22 diagnosis of Ieng Sary's current health status might be carried
23 out;
24 f) Report to the Trial Chamber his expert opinion on the current
25 state of Ieng Sary's health and on when he might reasonably be

5

1 discharged from hospital-based care;

2 g) Advise the Trial Chamber of any changes he would recommend on
3 Ieng Sary's medical care.

4 In response to the Appointment Order by the Trial Chamber, the
5 prosecutors, the civil party lawyers had submitted some
6 additional questions concerning the health status of Mr. Ieng
7 Sary so that the doctors could incorporate their questions in the
8 examination.

9 The civil - rather, the counsels for Mr. Ieng Sary also voiced
10 their concerns with regard to the lack of qualification of the
11 doctors assigned to examine Mr. Ieng Sary. Counsel for Mr. Ieng
12 Sary is also concerned with regard to the timing of the
13 submission of the medical report because the timing itself is not
14 friendly enough for counsels to be able to have enough time to
15 review the medical report, let alone prepare their questionings.

16 [09.22.41]

17 On the 1st of November 2012, the WESU unit confirmed to the
18 Chamber that Mr. Lor Vann Thary was not able to take part in
19 examining the health condition of Mr. Ieng Sary, due to his very
20 busy schedule at his workplace - please look at document
21 E239/1.1. Furthermore, the WESU unit also indicated to the Trial
22 Chamber it was not able to locate another Cambodian doctor for
23 such assignment. For that, the Trial Chamber consulted with the
24 Judges of the Bench, and the Chamber decided to preliminarily
25 work with Professor Campbell, who has already appointed on the

6

1 medical condition of Mr. Ieng Sary, so that the Chamber has the
2 ground for our future deliberation.

3 After having reviewed the medical reports and the recent report
4 submitted by the hospital and having contacted Mr. Ieng Sary on
5 two occasions - once on the 4th of November, and the second one
6 on the 5th of November 2012 - the expert also consulted with
7 treating doctors to obtain some information concerning the health
8 condition of Mr. Ieng Sary. These doctors include Dr. Chea
9 Lahoeun, Kem Samsan, Chak Thida, Vann Mich, and Ky Bousuor.

10 [09.25.11]

11 The reports have already been submitted in English to the Trial
12 Chamber and placed in the case file on the 6th of November 2012 -
13 document 238/4. This report was also translated into Khmer and
14 placed onto the case file on the 7th of November 2012. The expert
15 concluded that Mr. Ieng Sary's physical condition is weak, but he
16 is able to concentrate when remain sitting in the courtroom
17 during the Court proceedings. And the expert also includes by
18 saying that he would be well assisted if there is any change to
19 the facilities in the holding cell, and also he needs better
20 personal care, as opposed to the current care he receives.

21 The Chamber has already informed to parties concerning the
22 matters that are going to be discussed during today's session.
23 Ms. Se Kolvuthy, you are now instructed to report to the Chamber
24 on the attendance status of the parties to the proceedings.

25 THE GREFFIER:

7

1 Mr. President and Your Honours, the parties to the proceedings
2 today are all present except Mr. Ieng Sary, who is present, but
3 in his holding cell due to his health concerns.

4 [09.27.15]

5 The expert that has already been appointed by the Trial Chamber
6 is ready and waiting in the waiting room. The expert has already
7 taken an oath.

8 MR. PRESIDENT:

9 Thank you.

10 First, the Chamber would like to rule on the request by Mr. Ieng
11 Sary.

12 The Chamber received the medical report by Mr. Campbell, E238/4,
13 concerning the medical condition of Mr. Ieng Sary, and the
14 Chamber also received a medical report concerning Mr. Ieng Sary's
15 health condition by a treating doctor who indicated to the
16 Chamber that Mr. Ieng Sary is very fatigued, he feels dizzy, and
17 he has some lower-back pain, and his vision is not very good, and
18 he cannot walk or climb the stairs. However, the doctor indicates
19 also that Mr. Ieng Sary is mentally and physically fit to observe
20 the proceedings from his holding cell. Doctor indicates that Ieng
21 Sary, in his holding cell, can consult with his counsels from
22 there.

23 [09.29.09]

24 Therefore, the Chamber allows Mr. Ieng Sary to observe the
25 proceedings from his holding cell for the whole day.

8

1 AV booth officers are now instructed to ensure that the AV
2 equipments are well connected to Mr. Ieng Sary's holding cell so
3 that he can observe the proceedings from there for the whole day.

4 (Short pause)

5 [09.30.01]

6 Before we proceed to the session where the testimony of the
7 expert will be heard, the Chamber wishes to also inform the
8 parties to the proceedings that the hearing today is conducted in
9 public.

10 The Chamber wishes to ask counsels for the Ieng Sary team whether
11 they consent to the fact that medical reports of Mr. Ieng Sary
12 would be examined during the session. The Chamber has already
13 obtained the documents, but the Chamber just wishes to make sure
14 that this matter is well confirmed.

15 MR. KARNAVAS:

16 Good morning, Mr. President. Good morning, Your Honours, and good
17 morning to everyone in and around the courtroom.

18 We did consult with Mr. Ieng Sary. We did receive a waiver from
19 him, that his medical reports and his medical condition can be
20 discussed in public here today.

21 Thank you.

22 [09.31.24]

23 MR. PRESIDENT:

24 Thank you, Counsel, for confirmation.

25 The Chamber wishes to advise the parties and members of the

9

1 public, as well, that in the examination of the report by
2 Professor John Campbell, the Judges of the Bench will put the
3 questions to the expert, and then we will hand over the floor to
4 the defence team for Mr. Ieng Sary first to put some questions to
5 the expert in relation to the health status of the Accused who is
6 their own client. Then we will hand it over to the Prosecution to
7 put the questions to the expert. And the Chamber wishes to advise
8 the Lead Co-Lawyer for the civil parties that the lawyers for the
9 civil parties should intervene in the examination of report only
10 when they disagree in any point with the Prosecution or you feel
11 that there is something to add to what the Prosecution has asked,
12 in order to avoid repetition.

13 And we will hand over the floor, last, to other defence teams if
14 they so wish to put the questions to the expert.

15 Court officer is now instructed to bring the expert in the
16 courtroom.

17 (Mr. Campbell enters courtroom)

18 [09.33.33]

19 QUESTIONING BY THE PRESIDENT:

20 Very good morning, Mr. Expert. Before we proceed to the
21 examination of your report, the Chamber wishes to put a few
22 preliminary questions to you in relation to your personal
23 biography.

24 Q. Your name is Professor John Campbell; is that correct?

25 MR. CAMPBELL:

10

1 A. Yes, that is correct.

2 MR. PRESIDENT:

3 Thank you. Thank you, Professor John Campbell.

4 The Chamber wishes to inform you that in response to the
5 questions posed by either Judges of the Bench or parties, we have
6 asked the AV assistant to monitor the sound system here, so I
7 would like to ask you to observe the red light on your microphone
8 before you speak so that your voice can get through the sound
9 systems and it is rendered by the interpreters, because we have
10 three working languages here – Khmer, English, and French – so we
11 have to have a pause in between so that the language is rendered.

12 [09.35.07]

13 BY THE PRESIDENT:

14 Q. Professor, how old are you now?

15 MR. CAMPBELL:

16 A. I'm 66.

17 Q. Thank you. What is your nationality?

18 A. I'm a New Zealander.

19 Q. Thank you. Where are you currently residing.

20 A. In Dunedin, New Zealand.

21 Q. Thank you

22 [09.35.54]

23 Professor John Campbell, you have provided expert testimony
24 before this Chamber so far. Has they – has there been any changes
25 in your qualification, whether it be professional or personal

11

1 occupation, from your last examination of the Accused in
2 question, in August 2012?

3 A. No, there's been no change.

4 [09.36.38]

5 Q. Thank you.

6 Just now, the Chamber heard the report by the greffier of the
7 Chamber that you have already taken an oath; is that correct?

8 A. That is correct.

9 Q. Professor, since you're an expert in - geriatrician, can you
10 enlighten the Court whether or not you have any expertise in
11 relation to a vascular or cardiac medicine?

12 A. Yes. I'm qualified as a specialist in internal medicine, and
13 many of my patients have problems - cardiac and vascular
14 problems.

15 Q. Thank you. In late August 2012, the Chamber appointed you and
16 the other two experts, Dr. Huot Lina and Dr. Seena Fazel, to
17 examine the health status of Mr. Ieng Sary when you were
18 conducting the fitness to stand trial of Madam Ieng Thirith. Is
19 that correct?

20 A. That is correct.

21 Q. Then your team submitted the preliminary report concerning the
22 health status of Mr. Ieng Sary in August 2012. Did you prepare
23 this report?

24 [09.39.02]

25 A. Yes, I was -- one of the three of us who prepared that report.

12

1 Q. And on the 8th of October 2012, the Chamber appointed you to
2 examine the health status of Mr. Ieng Sary again, and then, from
3 the 4th to the 6th of November, you re-examined the health status
4 of Mr. Ieng Sary in consultation with the treating doctors, while
5 Mr. Ieng Sary at Khmer-Soviet Hospital. Did you re-examine him
6 during this period?

7 A. Yes, I re-examined him during that period.

8 Q. And on the 6th of November 2012, you submitted a report -
9 examination report on the health of Mr. Ieng Sary in response to
10 the request by the Chamber. Did you prepare this report?

11 A. Yes, I did.

12 Q. Can you tell the Court in brief the health status of Mr. Ieng
13 Sary at the moment? And can you also, in light of that report,
14 provide a recommendation to the Court as to the care to be taken
15 for Mr. Ieng Sary so that he is well enough to participate in the
16 proceedings?

17 [09.40.51]

18 A. Yes, I shall enlighten you on part of my report. I will do it
19 in two parts.

20 First, his cognitive function, his mental state; his mental state
21 is unchanged from what I and the other doctors saw and reported
22 on in our September report. On testing his memory, there was no
23 significant change.

24 His physical state, though, is that he is more frail than he was
25 before. He has spent the last two months in hospital. He has had

13

1 very little physical activity during that time, and as a
2 consequence his weakness is greater.

3 If I could deal individually with his physical problems?

4 First problem is his heart disease. Currently, that is stable on
5 the treatment that he is receiving. He is not short of breath at
6 rest, when he is lying. He does become short of breath with
7 activity, but that is primarily because, with his additional
8 muscular weakness, any physical activity demands more of him and
9 leaves him more breathless. He is however quite able to converse
10 normally when he is lying on his bed.

11 The second problem is his neck pain and lower-back pain. His
12 lower-back pain is unchanged. It is due primarily to
13 wear-and-tear, to osteoarthritis involving the vertebral bodies,
14 and that also causes him some neck pain as well. That is best
15 treated with pain relief, which he is receiving, with a back
16 brace, and with a cervical collar.

17 [09.43.02]

18 His other physical problem is the dizziness that he complains of.
19 And, as I've outlined in my report, I fell this comes from three
20 causes.

21 First is a condition called benign paroxysmal positional vertigo,
22 and this is a disorder of the semi-circular canals, which are one
23 of the essential balance mechanisms of the body. And debris
24 accumulates in those canals so that, when the head moves, this
25 debris gives a sensation of movement. And it classically occurs

14

1 when the person, for example, rolls over in bed, bends down or
2 stands up. It gives a spinning sensation, or vertigo, and that is
3 what he describes.

4 That can be - diagnosis of this can be assisted by what's called
5 the Dix-Hallpike manoeuvre, which we do by turning the person's
6 head to 45 degrees and then lying them back with the head down 20
7 degrees of extension. And what we see when that occurs is some
8 beats of nystagmus, which are rapid eye movements to one side.
9 Now, that was difficult to do with Ieng Sary because of his back
10 pain and because he closed his eyes tightly because of that pain
11 when I lay him back. However, when I did get him to open his
12 eyes, there were some beats of nystagmus, which helps confirm
13 that diagnosis.

14 [09.44.48]

15 The second cause of his dizziness is, I suspect, that his blood
16 pressure is low at times because of his heart disease and because
17 of his medications, and that is something that will need to be
18 watched if he is sitting for any length of time. His blood
19 pressure did drop when he stood.

20 The third cause of his dizziness is that he has now become weaker
21 because of his inactivity, and as a consequence he feels unsteady
22 when he is standing, and this gives him a sense of dizziness or
23 poor balance.

24 [09.45.27]

25 Now, it's important to note that when I examined him I found no

15

1 evidence of damage to the brainstem or the cerebellum. I know the
2 question of a vertebrobasilar ischemia has been raised. The brain
3 is supplied by four main arteries: two carotid arteries which go
4 up the front of the neck, and two vertebral arteries which come
5 up the back. And they link up at the base of the brain in what's
6 called the Circle of Willis -- a bit like a roundabout. So, if
7 there's obstruction to one of the arteries, the other artery
8 blood flow can flow through to that area. A vertebrobasilar
9 ischemia affects particularly the hind part of the brain - not
10 the thinking part, not the reasoning part, not the memory part,
11 but two parts of the brain: the cerebellum, which has to do with
12 balance; and the brain stem, which is where the fibres from the
13 front part of the brain pass down to the spinal cord, and it also
14 has the nuclei for the cranial nerves, the nerves that move the
15 eyes, gives the sensation in the face, move the facial muscles,
16 have to do with swallowing and with talking.

17 [09.46.55]

18 Now, when I examined Ieng Sary, I found no evidence of any damage
19 to the fibres passing from the front part of the brain down
20 through the brain stem and I found no evidence of damage - and I
21 found no evidence of damage to the cranial nerves. So, on
22 examining the nervous system, there is no evidence of damage to
23 the hind part of the brain supplied by the vertebral arteries
24 which join together to form the basilar artery. So, the issue of
25 impaired blood supply to the brain was raised earlier; I could

16

1 find no evidence for that on examination.

2 So, in summary, we have a man now 87 who is frail, physically
3 frail; he is weaker than he was before, and that's because he's
4 had no physical activity for the last two months or so; and his
5 dizziness, as is very common in people of his age who complain of
6 dizziness, is due to a number of causes, not just one.

7 So my recommendations, from that, are that he is more comfortable
8 lying flat, and the holding cell is very appropriate for that. I
9 examined him for an hour to an hour and a half and did some
10 informal testing of memory at the end of that period, and he was
11 still very alert, following, and there was no evidence of
12 impairment of concentration.

13 [09.48.57]

14 Currently, he's in the hospital, but his medications have not
15 been changed for a number of weeks. I do not feel that he is
16 gaining anything by continuing in hospital.

17 The doctors indicated that, in part, he was there in case there
18 was an emergency. Main emergency would be if his heart would have
19 stopped and he would need resuscitation, but I do not feel he
20 would be a fit candidate for resuscitation anyway, and it would
21 not be a reason for keeping him in hospital.

22 He is requiring more physical than previously, and obviously that
23 would need to be provided at the detention centre.

24 So, my recommendations are: that he return to the detention
25 centre; that, during the trial, he use the holding cell, where he

17

1 can lie flat; that he use a soft collar; and he also complained
2 that he was having more difficulty reading, not focusing so well,
3 and now I think a review of his glasses would be worthwhile.

4 [09.50.24]

5 Q. Thank you, Professor.

6 How about the treatment? According to you, how much care to be
7 taken for him when they – he is following the proceedings before
8 the Extraordinary Chambers in the Courts of Cambodia?

9 A. The doctors looking after him have made some alterations to
10 his heart medications. His heart failure, at present, is stable.
11 I do not feel that further change would improve the situation.
12 He is on a large number of medications. As I've said in my
13 report, some of them can contribute to dizziness, and it would be
14 worthwhile considering reducing those, although the dizziness
15 preceded their use. With his medications, one of the side effects
16 is that they can lower – they do lower blood pressure, and that's
17 why monitoring of his blood pressure when he's sitting for a
18 length of time is necessary.

19 MR. PRESIDENT:

20 Thank you very much, Professor.

21 I would now like – turn to fellow Judges on the Bench, if you
22 have any questions to put to the expert.

23 I now hand over to Judge Silvia Cartwright.

24 [09.52.11]

25 QUESTIONING BY JUDGE CARTWRIGHT:

18

1 Thank you, President. And good morning, Professor Campbell.

2 Welcome back to Phnom Penh.

3 Q. Professor Campbell, in fact, you have examined Ieng Sary now
4 on a number of occasions and you have also had the benefit of
5 examinations -- of earlier examinations, notably by urologists,
6 conducted in 2008. They were Dr. Koutch Hach and Professor
7 Philippe Mangin - I'll get my pronunciation corrected very soon.
8 So you recall that particular report?

9 MR. CAMPBELL:

10 A. Yes, I do. And there has been no change in his urological
11 symptoms.

12 Q. Thank you. So, they are, in short, well controlled at the
13 present time; is that correct?

14 A. (Microphone not activated) - he needs to pass urine
15 frequently, but in the holding cell he can manage that with a
16 bottle and assistance.

17 [09.53.34]

18 Q. And then, in November of 2009, a Professor Brinded, who is a
19 professor - associate professor of forensic psychiatry, also
20 submitted a report. And you have had an opportunity to read that
21 report as well?

22 A. (Microphone not activated) - so, yes.

23 Q. Then, there was the first of your reports, prepared in June of
24 2011, in which you examined Mr. Ieng Sary and some of the other
25 Accused and provided separate reports for each of them; is that

1 correct?

2 A. That is correct.

3 Q. Now, let me go back briefly to your qualifications and
4 experience.

5 It seems there might be some misunderstanding on the part of the
6 - well, at least one of the parties, that other experts might be
7 required to examine Ieng Sary. Is it correct to say that, in your
8 field of geriatrics, you provide the first level of expert
9 examination of an older person - I think you once said someone
10 over 65 years of age - and that your specialist field of
11 geriatrics - in that field, you must be able to examine a number
12 of complex and of often interrelated physical and mental
13 difficulties that these older patients are having. Now, I know I
14 use lay terminology, but is that an acceptable way to describe
15 your expertise in lay terms?

16 [09.55.54]

17 A. Yes, that is so.

18 And I might comment on that report that you've alluded to.
19 When I saw Ieng Sary this - on this occasion, I determined
20 whether there'd been any change in his cognitive function -
21 because a full assessment had been done by a forensic
22 psychiatrist, Seena Fazel, on the last visit - I could find no
23 change in his mental state.

24 Q. Well, again, going back to your qualifications to be able to
25 recommend to the Trial Chamber if other expertise is needed, is

20

1 this a part of your usual specialist duties in the course of your
2 clinical work?

3 A. Yes, that is so. We see patients with multiple medical and
4 mental problems and we refer, if necessary, for additional
5 opinion. But I do not feel additional referral is needed in this
6 situation.

7 Q. So, of the various specialist reports, we have covered Ieng
8 Sary's urological status, his heart disease status, and of course
9 his more general physical health, as well as his mental status,
10 down to the report of the 3rd of September, which was the last
11 time you examined Mr. Ieng Sary; is that correct?

12 A. Yes, that is correct. I feel he has been comprehensibly
13 assessed, and he was very well assessed by Seena Fazel and Lina
14 Huot on the last occasion. And, as I said, I don't feel there's
15 been any change in his mental state since then.

16 [09.57.48]

17 Q. Now, it has also been suggested by one of the parties - now, I
18 just want to make sure that you feel you've had ample opportunity
19 to examine Ieng Sary. And both in the past and the present, the
20 current examination, it's been suggested that you took a very
21 short in your examination.

22 Can I just ask you to comment, please? If you were face with a
23 patient such as Ieng Sary in a normal course of your clinical
24 duties, is the time you have spent with him too little? Have you
25 been given inadequate time to examine and to prepare a report?

21

1 A. No, I've been given adequate time, I was able to see him over
2 two days and had two sessions with him on the first day.

3 Normally, when I'm assessing a patient, with that patient, I have
4 an hour appointment – and I've had more than that. And I know a
5 lot of Ieng Sary's background – medical background anyway, so I
6 did not need additional time.

7 Q. Have you had clinical experience of patients such as Ieng Sary
8 in the course of your clinical duties over the years, presenting
9 with a number of physical and, prospectively, mental health
10 symptoms?

11 [09.59.21]

12 A. Yes. It is in the nature of our work, seeing all the people,
13 that they have multiple problems and they span the spectrum of
14 physical – multiple physical problems, often mental, cognitive
15 problems, and also social problems. So it is one of the areas of
16 expertise for those in geriatric medicine, that they assess
17 multiple problems.

18 Q. And as you have already indicated in consultation with
19 Associate Professor Lina Huot and Dr. Fazel, both of whom are
20 experts in the field of psychiatry, you examined Mr. Ieng Sary
21 and reported on the 3rd of September. Now, would it be fair to
22 say that a psychiatrist might approach a patient of this type
23 slightly differently from the way that you approach him, insofar
24 as the actual testing is concerned, or do you both follow the
25 same approach?

1 A. In terms of testing cognitive function - that is, memory and
2 judgement - we would approach it in the same way. A psychiatrist
3 would dig deeper into the areas of depression, for example, and
4 in the other psychoses. There has not been any evidence for that
5 with Ieng Sary.

6 And, given that we do, in geriatric medicine, see a lot of
7 patients with underlying mental illness, we are attuned to it.

8 [10.01.04]

9 Q. And would you, as a geriatrician, look also for physiological
10 factors that might lead to mental illness, involving for example
11 - and, again, I'm speaking as a lay person - examining a brain
12 scan or material of that nature?

13 A. Yes, we do. And we regularly order CT scans of head, neck, and
14 are used to reviewing those with radiologists.

15 Q. And throughout the many and varied examinations Mr. Ieng Sary
16 has had, has any specialist or treating doctor expressed a
17 concern about his mental status?

18 A. No. The reports have consistently been that he is not
19 cognitively impaired, that he is able to follow reasoning and
20 understand the processes. And, certainly, I have not found any
21 evidence that he does not have that ability.

22 Q. Returning to the jointly prepared report of the 3rd of
23 September, which you, and Lina Huot, and Seena Fazel prepared, in
24 that report, you jointly came to this conclusion concerning Ieng
25 Sary's mental health status - and that is in document E222/1, at

1 English ERN 00846194, paragraph 41.

2 [10.03.07]

3 You said this:

4 "It is our opinion that Ieng Sary does not suffer from any mental
5 illness or cognitive impairment beyond what would be expected for
6 someone of his age and background, and therefore we have no
7 recommendations to make in relation to mental state or cognitive
8 function."

9 Now, that, Professor Campbell, I assume, was your starting point
10 for the most recent examination during this week, when it came to
11 mental health status. And you have already indicated to the Court
12 that you saw no change between the 3rd of September and this
13 week, when you re-examined him; is that correct?

14 A. That is correct. That's on both, general discussion about his
15 symptoms and problems and also more formal testing of his memory
16 - both formal and informal testing of his memory. I found no
17 change, and there's no history of change, either.

18 Q. Can you just elaborate on what the formal and informal testing
19 was that you conducted in the current examination, please?

20 [10.04.27]

21 A. The informal arises in two ways.

22 Firstly, when you're taking a history from a person, you can get
23 a feel to how well they're recalling events, how well they
24 describe them, and I've found no problems with Ieng Sary in doing
25 that.

24

1 Secondly, at the end of my first session with him, which was
2 after an hour or so, I began to converse with him about his
3 family, his grand-children, where they were, what they were
4 doing, to get a feeling as to whether he had any difficulty with
5 recall there, and there was no evidence of that. Often, informal
6 testing in that way is useful because the person does not know
7 they're actually being - having their memory assessed.

8 And then, thirdly - thirdly, I repeated the mini-mental state
9 examination and found really no significant change in that from
10 the scores previously. He scores above the level where one would
11 consider cognitive impairment.

12 Q. Now, Professor Campbell, the Trial Chamber permitted the
13 parties to discuss your report with other consultants, and that
14 has been done by Ieng Sary's defence team and by the prosecutors.
15 Of course, the Court did not authorize the introduction of any
16 other material purporting to be based on expert qualifications
17 and examination of the patient, and nor would the latter have
18 been possible in the time available.

19 [10.06.15]

20 But it's clear from the material that has emerged from Ieng
21 Sary's consultation that the person who apparently is a forensic
22 psychiatrist has not seen the report of the 3rd of September
23 prepared by you and the other two psychiatrists whom I've already
24 mentioned; is that the situation?

25 A. It would appear so from the report, and therefore his comments

25

1 are based on inadequate information and therefore are, I feel, of
2 little or no value.

3 Q. I'll come back to a couple of matters arising from that letter
4 that we have received, but I want to look at Ieng Sary's ability
5 to concentrate.

6 Now, it's been suggested that he cannot concentrate for long
7 periods of time and that, of course, has an impact on his ability
8 to participate fully in the trial, to consult with his lawyers,
9 to listen to what's - the evidence, and so on. In your view, if
10 he suffers from a lower degree of concentration than, say, 10
11 years ago, is that related to his physical condition or to some
12 aspect of his mental health status?

13 [10.07.58]

14 A. I've found, in my assessments with Sary - Ieng Sary, which
15 have lasted more for an hour - for example, our first session the
16 other day was an hour to an hour and a half - there was no
17 flagging in his ability to concentrate. I feel if he is
18 physically comfortable, for example in the holding cell, he will
19 be able to maintain concentration. I've found no evidence of lack
20 of concentration.

21 And the Court sessions, from my understanding, are usually only
22 an hour and a half, and then there's a break, and I feel that is
23 well within his capacity.

24 Q. Do I infer from this that you consider it more appropriate for
25 Ieng Sary to remain in the holding cell than to come into the

26

1 courtroom unless he elects to do that on any occasion?

2 A. Yes. I think that is the best arrangement. He is more
3 uncomfortable sitting than he was, and when he's lying flat, he
4 is comfortable. And the holding cell is well set up, and I feel
5 it is most appropriate for him.

6 [10.09.10]

7 Q. There has been a suggestion that a better bed be provided to
8 him, and I think the suggestion is one that's similar to a
9 hospital bed, which has the ability to raise and lower the
10 head-piece. Is that something that you think is essential, or do
11 you feel that his current bed is adequate for the purposes of
12 participating through the audio-visual methods?

13 A. I think if he had a bed that could elevated at the head, that
14 would make him - make it easier for him to look at the monitor.
15 And he was comfortable lying flat when I saw him, but it may make
16 him - may make it easier for him.

17 I also made a recommendation last time on the mattress on the
18 bed, as well, which he'd found a bit difficult.

19 Q. Now, returning to more general topics, you are well aware that
20 Ieng Sary has been hospitalized in the Khmer-Soviet Friendship
21 Hospital for about seven weeks now and that the treating doctors
22 came to Court to give us their assessment of his condition.
23 Amongst other things that they mentioned, they said they were
24 exploring other treatment options.

25 [10.10.43]

1 Now, have you seen any different treatment being administered at
2 the Khmer-Soviet Hospital as a result of their examinations of
3 him during this almost-two-month period?

4 A. No, there has been no change. The issue of surgery was raised,
5 but there is no lesion that would be amenable to surgery. And
6 even there were, his general state is such that it would not be
7 appropriate.

8 [10.11.20]

9 Q. And, overall, you've made it very clear that for his general
10 physical benefit, it would be preferable if he were discharged
11 from hospital and resume some modest amount of exercise to the
12 degree that he can tolerate; is that correct?

13 A. That is correct. And I feel the prolonged period of lack of
14 physical activity has added to his weakness. He has had massage
15 there, which may have made things more comfortable for him, but
16 no actual physical activity to try and maintain muscle strength.
17 Now, I'm not sure how willing he will be to participate in a
18 program, but it should at least be offered. I think, when one
19 looks at function and disability, function can gradually
20 deteriorate without a defecting ability -- ability required at a
21 certain threshold. So, to stand from a seat, we need a certain
22 amount of muscle strength, which is fixed, depending on our
23 weight. And people in their eighties are often using a hundred
24 per cent of their strength to rise slowly. And a few weeks in bed
25 is often sufficient to drop that person below the threshold, and

28

1 that's what we've seen with Ieng Sary. So he has not lost a lot
2 more strength, but he has lost sufficient additional strength to
3 impact on his ability to do basic tasks.

4 [10.13.03]

5 Q. You did suggest consideration be given to a reduction in some
6 of Ieng Sary's medication. And from what I understand, you have
7 said today, this is medication that has been given to him since
8 he was hospitalized, or am I confusing two different sorts of
9 medication?

10 A. Yes, certainly, one of his medications used for back spasm has
11 been given recently. I'm not sure how effective that's been, and
12 I would have suggested a cautious reduction to see if it improves
13 the situation. I think that is unlikely, though, that it will
14 improve the situation.

15 Q. Now, in the same letter that was sought from a consultant by
16 the Ieng Sary team - the consultant who, as I said before, is
17 presumably a forensic psychiatrist - he mentions that "a blanket
18 dismissal of potential medication side effects based on the [...]
19 assumption that since the defendant's medications have not been
20 changed, a gradual emergence of subtle yet significant medication
21 related neurotoxicity can simply be pulled out or would be
22 noticed by his treating [physicians -- treating] clinicians". And
23 that is in the context of your failure to consider this
24 particular matter.

25 Can you comment on that comment?

29

1 A. Yes. It's a somewhat strange comment. He is on the--

2 [10.15.01]

3 JUDGE CARTWRIGHT:

4 Just one moment; I think that I was speaking too fast. Was I -

5 I'm very sorry.

6 MS. SIMONNEAU-FORT:

7 I believe that if we had the document reference, it would also

8 help with the French interpretation. Thank you.

9 JUDGE CARTWRIGHT:

10 I'm sorry, this is not a document that has been admitted; it is a

11 letter received yesterday. And I will quote again from it - the

12 passage that I want Professor Campbell to comment on, and I will

13 do it really slowly; and I do apologize.

14 BY JUDGE CARTWRIGHT:

15 Q. In the context of a critique of your findings and

16 recommendations, Professor Campbell, this gentleman refers to "a

17 blanket dismissal of potential medication side effects based on

18 the ipse dixit assumption that since the defendant's medications

19 have not been changed, a gradual emergence of subtle yet

20 significant medication related neurotoxicity can simply be pulled

21 out or would be noticed by his treating clinicians" - that's the

22 end of the quote.

23 First of all, could you just tell us what that means?

24 [10.16.45]

25 MR. CAMPBELL:

1 A. I'm struggling myself a little to understand what that means
2 exactly.

3 Ieng Sary is on one medication, for example bromazepam, which may
4 have an effect on drowsiness, cognitive function, but he's been
5 on that for a long time. There is no evidence that it is actually
6 causing side effects. So I do not feel any of his medications are
7 affecting his cognitive function.

8 Q. Thank you.

9 Now, you also mentioned in your report some other tests that
10 might be attempted, and that's at paragraph 17 of your most
11 recent report, where you suggest that he have some additional
12 test: quantitative immunoglobulins, light chain quantitation -
13 now, I know the interpreters are not going to get this down;
14 they'll have to go back to the report. And these would be
15 intended to exclude multiple myeloma and myopathy, and those are
16 tests that you have suggested.

17 [10.18.35]

18 However, you go on to say this: "These tests may evidently be
19 difficult to obtain in Cambodia."

20 Am I to assume that you covered the possibility of those tests
21 being conducted with the treating doctors at the Khmer-Soviet
22 Friendship Hospital?

23 A. Yes, I did.

24 The multiple myeloma, I had asked previously for a test called
25 serum protein electrophoresis, and that was normal, it didn't

1 show any evidence of multiple myeloma.

2 The additional tests are to exclude a very remote possibility;
3 sometimes with myeloma -- don't get a change in the
4 electrophoretic pattern. I think that is very unlikely. So, if
5 there is difficulty, I don't think a lot will be gained by
6 chasing it further.

7 [10.19.38]

8 The other test is a test of specific damage to muscle. He is on a
9 tablet called atorvastatin, which can cause this problem, that he
10 has been on for many years, and I think it's very unlikely that
11 this is causing problems. As I said before, I fear most of his
12 weakness is due to his inactivity.

13 Q. In your report, you suggest that he will require more personal
14 care in the detention centre than he was receiving down to the
15 time he was admitted to hospital, nearly two months ago. Could
16 you expand on the type of physical care - quite specifically,
17 please - that might be required? I have inferred from that,
18 medical care the same as usual, but there are some other
19 additional - there's some other additional assistance that might
20 be useful.

21 [10.20.55]

22 A. Now, with his greater weakness, he is requiring more
23 assistance to stand, for example. He's not able to walk in the
24 same way as he was previously, so he will require assistance with
25 dressing, and with showering, and with personal care.

1 Q. And is - given your experience with a number of Accused and
2 your familiarity with the detention centre facilities and with
3 the holding cell facilities as well, of course, of the courtroom,
4 what - would he be provided with enough such practical care with
5 the assistance of his guards, or do you envisage something more
6 specialized than that?

7 A. (Microphone not activated) - think he needs more specialized
8 care. Ieng Thirith, for example, was receiving quite a lot of
9 personal care previously, and that was provided within the
10 detention centre. The detention centre would need to review its
11 staffing to ensure that it had adequate staffing to help him
12 stand safely and to move safely.

13 [10.22.20]

14 Q. Now, physical therapy is another issue, perhaps, to improve
15 his physical condition. You have already noted that his physical
16 condition has deteriorated and that he may or may not be
17 enthusiastic about embarking on a very moderate exercise program.
18 You mentioned massage, which you said would have made him more
19 comfortable. By "physical therapies", is this a suggestion about
20 physiotherapists, for example?

21 A. Yes. I think that would need to be discussed with him to
22 determine whether he was willing or not. And if he were willing,
23 then a program to try and build leg strength might be of value,
24 but it would need to be under the guidance of someone with the
25 expertise, such as a physiotherapist.

1 Q. And, again, you've had a lot of experience of the facilities,
2 medical and other, that are available for people in the situation
3 of the Accused. Is such physical therapy program available for
4 Ieng Sary? And if so, is it appropriately offered in the
5 detention centre, or does this require some form of
6 hospital-based treatment or therapy?

7 A. No, it could be offered in the detention centre because it
8 requires Ieng Sary to carry that out. The program needs to be
9 established by a physiotherapist, but then it could be done under
10 the guidance of the guards, for example. It would need, for
11 example, some ankle-cuff weights to try and build strength in the
12 lower limbs.

13 [10.24.31]

14 Q. And from an earlier examination of the fitness of another
15 Accused, Ieng Thirith, we are aware that trained physiotherapists
16 here, in Cambodia -- if there are any at all, there are very few,
17 indeed. Is that still the situation?

18 A. I'm not sure if that's the situation; it would need to be
19 explored. It does not have to be a sophisticated program; it just
20 needs someone to start it and then to increase the, for example,
21 weights as he improves. But as I said before, it would need to
22 have his willingness to participate before being - before being
23 tried.

24 Q. When we heard from the treating doctors, following Ieng Sary's
25 admission to hospital, they referred to other enquiries that they

34

1 might make, and they had consulted with various specialists
2 within their hospital system. Any of those – are any of those
3 additional referrals required, in your view?

4 [10.26.04]

5 A. No, I don't feel they are. I feel, in someone of Ieng Sary's
6 age and frailty, one has to be very careful about what tests,
7 investigations he is subjected to. And there would have to be
8 good evidence that there would be benefit from the testing before
9 embarking on that, and that's why I feel no additional tests, at
10 this stage, are required. As I mentioned, he could have
11 angiography, involving looking at the blood vessels at the base
12 of the brain, but that would not add to his management.

13 Q. So, let me attempt to summarize my understanding to see if I
14 have it right.

15 You disagree with the diagnosis by his treating doctors, that he
16 has vertebrobasilar disease, and therefore there is no need to
17 consider surgery or any other treatment related to modifying that
18 condition; is that correct?

19 [10.27.28]

20 A. That is correct. As I've indicated, vertebrobasilar ischemia
21 was a diagnosis that used to be used very commonly and a lot of
22 symptoms could be attributed to it. But in Ieng Sary's case,
23 there are alternative explanations for the dizziness, and I've
24 found no evidence of vertebrobasilar ischemia.

25 Q. And, again, to summarize the other aspects of his physical

35

1 condition, you find nothing - no significant changes in his
2 urological status or his heart status?

3 A. That is correct. I mean, his cardiac status is stable; it is
4 very precarious - he has significant heart disease, and life at
5 the age of 87 is pretty unpredictable, and especially with
6 significant underlying heart disease.

7 Q. And as we've already covered, but just to summarize, you feel
8 he has been - in your opinion, rather, you consider he has been
9 adequately tested and thoroughly tested for his mental health
10 status and that there is no issue arising from that status that
11 might give rise to concern about his ability to participate in
12 his trial?

13 [10.29.10]

14 A. That is correct. I have assessed him on a number of occasions,
15 and he was very fully assessed by Seena Fazel and Lina Huot two
16 months ago. There has been no change since then that I could
17 demonstrate, and therefore I feel that has been fully assessed. I
18 do not feel there would be any advantage, for example, in
19 bringing Seena Fazel back to repeat the examinations.

20 Q. And his orthopaedic condition is gradually deteriorating,
21 causing more back pain and neck pain, for which you have made
22 some recommendations; is that the situation?

23 [10.29.54]

24 A. That is correct. I mean - he has a back brace, and I have
25 suggested he use the neck brace, primarily to stop sudden head

Extraordinary Chambers in the Courts of Cambodia
Trial Chamber – Trial Day 129
Case No. 002/19-09-2007-ECCC/TC
8/11/2012

36

1 movements and that vertigo, especially during the day.

2 Q. So, in a sense, you have covered every aspect of his physical
3 and mental health, and you've acted as a sort of clearing-house,
4 and you recommend no other specialist examination or
5 intervention?

6 A. I do not feel that there are any further investigations that
7 would lead to treatment that would improve his overall situation.
8 I feel he is stable at present, although the condition will be
9 inevitable deterioration.

10 Q. And, finally, the symptom that had him admitted to hospital in
11 the first place, his dizziness, you have found three possible
12 causes of that and have made the recommendations that we've
13 already traversed to assist him in coping with those symptoms; is
14 that correct?

15 A. That is correct.

16 JUDGE CARTWRIGHT:

17 Thank you, President, I have no other questions.

18 [10.31.30]

19 MR. PRESIDENT:

20 Thank you very much, Judge Cartwright.

21 Since it is now appropriate moment for the adjournment, the
22 Chamber will adjourn for 20 minutes.

23 Court officer is now instructed to assist Professor Campbell
24 during the recess and that - have him returned to the courtroom
25 by 10 to 11.00.

1 THE GREFFIER:

2 (No interpretation)

3 (Court recesses from 1032H to 1051H)

4 MR. PRESIDENT:

5 Please be seated. The Court is now back in session.

6 Next, we would like to hand over to counsels for Mr. Ieng Sary to
7 pose questions to the witness. You may now proceed.

8 QUESTIONING BY MR. KARNAVAS:

9 Thank you, Mr. President, and good morning to everyone again, and
10 good morning especially to you, Dr. Campbell.

11 [10.52.42]

12 Q. Let me pick up where Judge Cartwright left off, because
13 apparently you were shown the one-page letter that was dated
14 November 7th -- we received it this morning, in light of the time
15 change -- time difference -- and you dismissed it as being little
16 or no value. That was what you said, that it has little or no
17 value; that was his assessment of your medical report.

18 Now, picking up from that, I take it that you might change your
19 opinion if, for instance, this particular doctor were to have
20 access to the entire file as you did, the medical records, your
21 previous reports, reports by other doctors, perhaps you might be
22 willing to review your position, would you not?

23 MR. SMITH:

24 Objection, Your Honour. I--

25 MR. PRESIDENT:

38

1 International Prosecutor, you may proceed.

2 [10.54.02]

3 MR. SMITH:

4 My apologies; I don't really want to interject on our learned
5 counsel's first question, but I would submit that this question
6 is objectionable because it relates to speculation.

7 The question assumes that if this expert received the
8 documentation and had access to the same material that this
9 professor has, he would have the same opinion. And I think that's
10 the point that Judge Cartwright made, was that if that material
11 was made available, perhaps his opinion would be more informed
12 and different.

13 So I would ask that that question be rephrased or a different
14 approach, because it is based on speculation that the opinion
15 would be exactly the same when he's better informed, and clearly
16 that may not be the case.

17 [10.54.53]

18 BY MR. KARNAVAS:

19 I welcome the objection because that's my point.

20 Q. Would you have any objections, Doctor, to having this
21 particular doctor review, all of the material that you reviewed,
22 to review your reports and to provide the Trial Chamber with an
23 opinion?

24 MR. SMITH:

25 Objection, Your Honour.

1 MR. PRESIDENT:

2 You may proceed, Mr. Co Prosecutor.

3 MR. SMITH:

4 Again, I apologize again, but I think the question is probably
5 not really appropriate for this witness to say whether or not
6 it's okay for another expert to review the material. That's
7 really a question for Your Honours, and it's not really up to the
8 witness to say that's appropriate or not appropriate.

9 BY MR. KARNAVAS:

10 [10.55.50]

11 Q. Doctor, were this particular doctor who Judge Cartwright
12 indicated that he is a supposed forensic psychiatrist -- and
13 we're going to get to that -- if he were to review all of the
14 material that you reviewed in your reports, would he be in a
15 better position to give a more informed opinion and assessment
16 about your particular report?

17 MR. PRESIDENT:

18 The Co Lawyer for the civil parties, you may proceed.

19 MS. SIMMONEAU-FORT:

20 Good morning, Mr. President, and good morning to all. I do have
21 the feel that this question is absolutely identical to the first
22 one asked by my colleague from the Ieng Sary defence. Not only
23 repetitious; it is also inappropriate.

24 [10.56.57]

25 MR. KARNAVAS:

40

1 If I may respond, the thrust of Judge Cartwright's question was
2 that this letter here is meaningless because the gentleman, the
3 doctor, the professor who reviewed it, only looked at one report
4 and one report only. Of course, we weren't at liberty to give the
5 entire file, and based on that, Dr. Campbell indicated that he
6 saw little or no value in this assessment because, obviously, our
7 expert that we consulted, pro bono on a last minute basis, had
8 very little to review.

9 Q. Now, I'm asking this doctor as a -- as an expert. Were another
10 expert to have all of the material that you reviewed, would that
11 expert be in a better position to provide an assessment than the
12 one that was provided overnight? It's a yes or a no.

13 I'm sure the doctor can answer the question. He may disagree with
14 the assessment but he certainly can answer my question.

15 MR. CAMPBELL:

16 A. Well, I would consider it totally unnecessary--

17 [10.58.15]

18 MR. PRESIDENT:

19 Professor Campbell, could you please hold on?

20 Counsel for the -- Mr. Ieng Sary, Mr. Karnavas, please rephrase
21 your question and try your best not to allow Professor Campbell
22 speculate when responding to your question.

23 Rephrase your question, please.

24 And the Chamber has already reminded the parties that the
25 documents issued by the Chamber are allowed to be submitted to

41

1 people concerned, but then we've been informed that some of the
2 documents should not be placed in these proceedings. However,
3 please be reminded that the question is rephrased and try to
4 avoid any question that is speculative.

5 [10.59.35]

6 BY MR. KARNAVAS:

7 Thank you, Mr. President.

8 Q. Now, Doctor, I care not to hear your opinion as to whether you
9 think it's relative or not relative, or necessary or not
10 necessary. What I want to know is -- because you dismissed this
11 letter -- were this particular expert to have access to your
12 previous report, the other reports by the other doctors, the
13 entire medical history, would such an expert be in a better
14 position to provide perhaps a more informed assessment based on
15 your medical experience, now?

16 Now, if you're unable to -- I don't want you to speculate.

17 MR. SMITH:

18 Again, Your Honours, I apologize, but I think -- just in relation
19 to the question, so that the Court record is accurate -- I
20 believe the transcript doesn't say that Professor Campbell has
21 dismissed the letter outright.

22 Particular propositions were put to Professor Campbell and he
23 responded on those propositions, and for one or two of them he
24 dismissed them. But to say that Professor Campbell has dismissed
25 the letter outright, I don't think is an accurate account of

42

1 today's proceedings, and I think it's a little bit misleading to
2 the professor.

3 [11.01.03]

4 MR. KARNAVAS:

5 Mr. President, I understand the need to obstruct. I'm perfectly
6 willing to go through the letter and see what parts of the letter
7 Dr. Campbell would accept, such as where he is criticized for
8 applying unacceptable methodology -- do you agree with what this
9 doctor has said about your report. He indicated to Judge
10 Cartwright's question that he saw "little or no value" -- that
11 was a quote, "little or no value".

12 So, my question now is: If this particular doctor, this expert,
13 this professor, were to have access of your previous reports, the
14 previous medical records, would this doctor be in a better
15 position to give a more informed assessment of your last -- your
16 current evaluation?

17 (Judges deliberate)

18 [11.05.23]

19 MR. PRESIDENT:

20 Counsel Karnavas, the Chamber has received your request
21 concerning the submission -- the sending of documents of the
22 documents regard to the reports compiled by Dr. Campbell, and
23 according to your request, the document concerning the consultant
24 opinion would not be put into the case file or into these --
25 today's session, but now we note that the document is being

1 debated.

2 And also we note that the questions posed to the expert are in
3 the form of speculative natures, using the terms like "if" or
4 "were" like that, so it is the discretion of the trial Chamber to
5 make a decision concerning this matter. It is not the expert.

6 In light of this, the Chamber wish not -- wishes -- or does not
7 allow Dr. or Professor Campbell to respond to such question. You
8 may rephrase.

9 [11.07.11]

10 MR. KARNAVAS:

11 Thank you, Mr. President. Let me just address one point.

12 First of all, the door was opened by Judge Cartwright. I
13 apologize, but she did open the door by making reference to it.

14 And the email that we received yesterday from Chambers was that
15 "the Chamber does not envisage..."

16 Now, "envisage" -- at least how Americans understand that word --
17 means that -- not expected. We were not forbidden to get
18 something such as this, nor was there a prohibition about trying
19 to get an assessment and even provide the assessment.

20 Secondly, obviously not only Judge Cartwright opened the door by
21 going directly into the substance of the letter, but it would
22 appear that the doctor saw the letter himself, because,
23 otherwise, how could he be so cavalier in saying it has little or
24 no value? He obviously answered that question based on what he
25 saw, and it was an informed -- I suspect it was an informed

1 opinion.

2 Doctor, you will get a chance to respond.

3 [11.08.22]

4 Now, I don't see how the Trial Chamber can go into a particular
5 document, ask questions, elicit answers from the doctor who then
6 says it has little or no value, because you only looked at one
7 report and not the entire medical history, and then when defence
8 asks this very same doctor whether a more informed opinion could
9 emerge from an expert if they had the entire file, which is not
10 speculative, because obviously he's here as an expert, I don't
11 see how that's objectionable.

12 But I move on. I take it that we are not allowed to confront the
13 doctor on this issue; however, because Judge Cartwright impugned
14 in some ways the reputation of this doctor by saying
15 "supposedly", I would like to present to this witness document
16 E115.2.2, which is the résumé of Dr. Harold J. Bursztajn. It is a
17 30 - 29-page curriculum vitae. He's from Harvard University, it
18 has all his qualifications, and there is nothing supposed about
19 this individual being a qualified expert in the field in which
20 he's representing himself to be. And I think by using the word
21 "supposed", it does give the impression, it does give the
22 impression, regrettably, that this guy may be a hoax, and I'm
23 sure this gentleman, if he looks at the c.v., he can at least
24 tell us whether he has recognized or heard of the institution
25 called Harvard University Medical School.

45

1 MR. SMITH:

2 Your Honour--

3 MR. PRESIDENT:

4 International Co Prosecutor, you may now proceed.

5 [11.10.14]

6 MR. SMITH:

7 The first point, Your Honour, I object to the counsel's
8 characterizing of the witness's evidence as cavalier. I don't
9 think it's appropriate that counsel puts these types of terms on
10 a witness who's giving factual evidence. He's giving his opinion.
11 There was nothing cavalier about his opinion this morning, and I
12 think it's quite disrespectful to speak about witnesses in that
13 manner unless there is a reasonable basis to do so.

14 [11.10.49]

15 Secondly, Your Honour, the Defence have exactly contravened the
16 rule that you put in place through your legal officer yesterday.
17 It's quite clear from the email that, as an exceptional measure,
18 which I thought was quite appropriate to allow the parties at
19 least to consult a medical expert to at least understand the
20 reports, I thought that was an appropriate request, but it was
21 very clear from this Chamber through your Senior Legal Officer
22 that it was -- such an approach was just to use to assist in the
23 understanding of those reports.
24 Now, what counsel is trying to do is they're trying to introduce
25 evidence into the Court which is not subject to the admissibility

46

1 rules of this Court, Rule 87.4, not put it through those
2 procedures, and then, at the same time, ask specific questions,
3 detailed questions asking to show the c.v. in the courtroom when
4 no application has been made under 87.4.

5 [11.11.56]

6 And as Your Honours are aware, your ruling in this Court, that
7 where particular documents are to be put to an accused, Rule 87.4
8 -- sorry, an accused or a witness, Rule 87.4 applications must be
9 made. And certainly we would be objecting to that application
10 this morning certainly on the same basis that the Defence have
11 said that their time to prepare for this hearing this morning was
12 too short, and obviously it follows the time for the expert that
13 they consulted to prepare for today was clearly too short. And so
14 that's why we'd be objecting to that letter and the c.v. being
15 placed on the case file, because he hasn't been given a proper
16 opportunity to provide a reliable opinion.

17 So I would ask that the showing of c.v.'s, the showing of
18 documents, and the putting specific questions based on the
19 document cease and the ruling be followed that the understanding
20 of that ruling being that parties could seek consultants to help
21 them put proper questions to the witnesses that relate to his
22 findings in the report.

23 [11.13.10]

24 MR. KARNAVAS:

25 If I may briefly respond, Mr. President, perhaps the word

1 "cavalier" was too strong and I withdraw that. What I meant,
2 actually, to say, that perhaps it was the -- Professor's view
3 that this report here, this letter, this assessment was more or
4 less cavalier in a sense that it was very short in nature and not
5 based on having been reviewed the entire medical history, so, to
6 that extent, I offer my apologies to the doctor if I had offended
7 him.

8 But with respect to the rest of the objection, and here is where
9 my learned colleague is absolutely, 100 per cent wrong, I did not
10 come into court today, prepared to use this letter, although I
11 had it with me. I circulated it as a matter of transparency. I
12 thought it was my obligation to do so. Judge Cartwright,
13 introduced it, or in a sense, made reference to it. I was not
14 prepared to share it with this doctor, the c.v. who I'm sure he
15 knows the c.v. from a previous hearing, but once the word
16 "supposed" came into play, then I felt compelled to have an extra
17 copy brought and to have my copy available, because I thought
18 this would be necessary. So I'm only reacting to what is
19 happening in court. Now, I apologize for being prepared for the
20 eventuality that something like this may happen, but I certainly
21 did not ask that the letter be introduced. I could have done so,
22 early this morning when we circulated the email. I thought I was
23 being forthright, I thought I was being conscientious, I thought
24 I was doing my job because I certainly could have, if I wanted
25 to, try to spring it on the doctor, from here and claiming

48

1 somehow that I didn't know what the procedure was.

2 [11.15.05]

3 Now, I'm perfectly willing to move on, but I don't wish the
4 record to reflect that somehow we intentionally go out of our way
5 to violate these rules. I react based on what is happening in the
6 courtroom, and so I'm willing to move on, but I do think that the
7 record should reflect that we have acted properly throughout
8 these proceedings.

9 Thank you.

10 (Judges deliberate)

11 [11.18.06]

12 MR. PRESIDENT:

13 The Chamber would like to hand over to Judge Cartwright, to
14 address this issue.

15 Judge Cartwright, you may now proceed.

16 JUDGE CARTWRIGHT:

17 Thank you, President.

18 The Prosecutor was correct in his summary of the purpose of which
19 the Trial Chamber had in mind in allowing counsel to consult
20 appropriately qualified medical personnel to enable them to
21 prepare for the hearing today, and to make sure that any
22 technical aspects of Professor Campbell's report, were clearly
23 understood by the parties.

24 The difficulty with this particular letter is that, it has been
25 filed by the Ieng Sary team, and I certainly accept that, that

49

1 was for purposes of utmost transparency. It cannot, however, be
2 treated as an expert opinion, for all the obvious reasons, and I
3 see Mr. Karnavas, agrees with that.

4 What Mr. Karnavas can do, and it's entirely appropriate for him
5 to do, is to put to the expert, information from that letter to
6 enable the Court to understand, fully, Professor Campbell's
7 expert opinion and that is the basis on which the questions were
8 put, earlier today.

9 [11.20.04]

10 Now, no one's reputation is being impugned; the fact of the
11 matter is, we do not - we are unable to make any determination as
12 to whether this consultant is, in fact, an expert. It's as simple
13 as that. So, Mr. Karnavas, you are quite at liberty to put
14 propositions to the expert, and in case it's not been made
15 abundantly clear -- because the letter came in and was filed this
16 morning -- Professor Campbell was given a copy of it. So it's all
17 out there in the open. It simply cannot be treated as an expert
18 opinion.

19 Is that sufficient for your purposes, Mr. Karnavas?

20 [11.20.48]

21 MR. KARNAVAS:

22 It is. And we were never submitting it as an expert report or an
23 expert assessment, nor did I think that it would generate this
24 much controversy. Let's put that aside for a while and see if we
25 can move onto something more pleasant, and then we'll return to

1 that.

2 BY MR. KARNAVAS:

3 Q. Dr. Campbell, I've noticed that throughout this morning, when
4 questions are being posed to you, you are looking at the Judges
5 or the lawyers, you're having to hear the questions, process the
6 information, and then you provide informed answers. Would you
7 agree with me that a certain amount of concentration is required
8 for you to do that?

9 MR. CAMPBELL:

10 A. Yes, of course.

11 Q. And I take it, when the questions are coming, say from the
12 Cambodian Judges, through a different language, you have to
13 pause, wait, listen very carefully, and sometimes maybe even
14 adjust your thinking, depending on the quality of the translation
15 so that -- or the interpretation so you understand fully the
16 thrust of the question being asked; would that be correct?

17 [11.22.15]

18 A. That is correct. It's the same process I go through when I
19 talk with Ieng Sary, because I have to go through an interpreter
20 and then his answers are given back to me through the
21 interpreter.

22 Q. Right. Now, would you say -- and here is where you might be
23 able to help me out a little -- is it the same level of
24 concentration that one would need as the one we just discussed
25 with, say, participating in following the proceedings, or would

1 you say less or more is required?

2 A. No, the concentration is the same whether listening to the
3 proceedings or whether I am interviewing Ieng Sary. In fact,
4 having the interpreter makes it easier because it gives
5 additional time while it goes through the interpreter. So it does
6 not have a significant effect on the concentration.

7 [11.23.13]

8 Q. Well, maybe I wasn't articulate in the way I phrased my
9 question. I guess what I'm trying to decide, you know, to figure
10 out here is: Would an individual require the same level of
11 concentration as you require in listening and answering questions
12 to say, sitting in court or in the holding cell, in following the
13 proceedings? Are we talking about the same quality of
14 concentration required over a sustained period of time, from 9
15 o'clock to 4.00, in between with the breaks - so, are we talking
16 about the same quality, in your opinion?

17 A. Yes, we're talking about the same quality of concentration be
18 it or albeit in the court, or when I am interviewing Ieng Sary,
19 as a clinician.

20 Q. All right. I'm just going through the general. We're going to
21 get to Ieng Sary, but I'm just going to the general. I just want
22 to make sure that what you're saying is, when you are determining
23 whether Ieng Sary or another accused is fully capable of
24 following the proceedings, concentrating for the entire day,
25 assisting their lawyers, we're talking about the same level of

52

1 concentration whether it's you, as the witness, or him, as the
2 Accused?

3 A. Yes, I presume so.

4 [11.24.44]

5 Q. Okay.

6 Now, with that in mind, prior to the proceedings, I visited my
7 client. He was in bed, downstairs, with the oxygen, and he's on
8 his side, and he's barely coherent. Now, would you say that that
9 is the same -- someone in that condition is fully capable of
10 concentrating to the level you've just described -- is necessary
11 for one to assist in his own defence? What do you think?

12 MR. PRESIDENT:

13 Dr. Campbell, could you please hold on and Co-Prosecutor, you may
14 now proceed.

15 MR. SMITH:

16 Your Honour, I have no objection -- of course, the line of
17 questioning is appropriate -- but counsel is giving evidence from
18 the Bar table as to his assessment of the mental and physical
19 condition of his client this morning, and that's not appropriate
20 to put that question.

21 He can rephrase the question to put, perhaps, different
22 situations, but to make a premise of the question a fact that's
23 not proved or not really before the Court, apart from the
24 counsel's own observations, that's not appropriate. And so I
25 would ask that he, if he changes the question in a different way,

1 then it will be less objectionable because it places on record
2 something that is not evidence.

3 [11.26.33]

4 BY MR. KARNAVAS:

5 I have no problems rephrasing.

6 Q. Doctor, before coming here today, did you happen to see Mr.
7 Ieng Sary, to visit him in his holding cell to see in what
8 condition he was in?

9 A. I have not seen Ieng Sary today. As I've said, I've seen him
10 in his hospital; I've seen him in his holding cells, in the
11 holding cell and in his detention centre, previously. And always
12 on those occasions, he has been fully able to concentrate and to
13 respond. I imagine if he has been seen just after moving to the
14 holding cell, for example, he may well be more breathless for a
15 time, but once that settles, I see no reason why he shouldn't be
16 able to concentrate and respond.

17 [11.27.13]

18 Q. Of course, now, you're speculating aren't you, Doctor, because
19 you haven't seen him, you haven't witnessed him, you're not there
20 for the entire day; so, are you not speculating, somewhat, that
21 he's able to concentrate for the entire time? Thank you.

22 A. I'm giving an opinion based on my examination of him and the
23 time I have spent with him.

24 Q. All right. Now -- and we're going to get to your examination,
25 but I just have one more question and this shall be general and

54

1 it will fit within the parameter set by the Prosecutor:

2 If an accused is in his holding cell, and is dozing in and out
3 while the proceedings are going, is feeling dizzy, or is even
4 asleep; in your medical opinion, is that person cognizant enough
5 to be assisting in his or her own defence?

6 [11.28.20]

7 A. Well, in my examination of Ieng Sary, I have no evidence that
8 he would be asleep or dozing off during his period in the holding
9 cell. I'm not sure of any evidence that that actually occurs.

10 Q. Doctor, I understand the need for you to constantly talk about
11 Ieng Sary; I'm asking you a general question. We're going to get
12 to your examination. So, if you could kindly answer my question,
13 and if you don't understand my question, please tell me.

14 Now, can you answer my question; would you consider that person
15 to be assisting in his own defence, concentrating, of being able
16 to process the information then being able to give instructions
17 to their counsel?

18 MR. SMITH:

19 Your Honour, I--

20 MR. PRESIDENT:

21 You may proceed. Co-Prosecutor and Dr. Campbell, could you please
22 hold on?

23 [11.29.26]

24 MR. SMITH:

25 I object on the basis that it's a vague question. It's one in

55

1 which he talks-- -- the factual basis is that, this factual
2 situation is that the person's dozing, they're asleep; he's
3 putting a lot of factual propositions, which are really quite
4 vague. Unless he's more specific to the professor so he can give
5 a reasoned opinion, we've got no objections to asking the
6 professor's expert opinion on if my client was asleep, is he
7 following the proceedings, and clearly he's not. But counsel is
8 asking for a very specific legal opinion to an extremely vague
9 factual situation, so if that was refined more, then it would,
10 perhaps, give the professor more of an opportunity to give a
11 valued opinion, rather than sort of a general discussion if my
12 client's asleep, is he following the proceedings. Of course, the
13 answer is obviously not, he's asleep so, but if perhaps if he's
14 more specific, it would be more helpful to Your Honours.

15 [11.30.47]

16 MR. KARNAVAS:

17 Mr. President, I understand the problem that the Prosecution has
18 and I can understand their strategy and tactics, but generally,
19 the way I've been trained and the way it is normally done, you
20 can go from the general to the specific.

21 The way the question was asked is rather simple; there's nothing
22 vague about it, there's nothing complex about it. And we have
23 this doctor, who's a professor, who was here before, he has
24 testified, and we're speaking the same language. Clearly --
25 clearly -- the doctor is capable of answering that question

1 without going into any specifics.

2 BY MR. KARNAVAS:

3 Q. Now, we will go to the specifics. So, can he answer the
4 question or does he find it vague? I will leave it up to the
5 doctor.

6 MR. CAMPBELL:

7 A. Well, there are two issues here, is the person capable of
8 concentrating and are they concentrating at the time. Now, I have
9 dozed through a good few lectures, it doesn't mean I'm not
10 capable of concentrating on them. And so, from my examination of
11 Ieng Sary, I have not found any evidence that he is not capable
12 of concentrating. That doesn't meant that he may not doze off at
13 times, as I've said, many of us do, if there's not much that's
14 actually maintaining our interest at the time.

15 [11.32.14]

16 Q. So, in your opinion--

17 MR. PRESIDENT:

18 Counsel Karnavas, please observe some pauses because, without
19 doing so, your message is not properly rendered through the
20 interpreting channel. So please be less objective in this.

21 [11.32.48]

22 MR. KARNAVAS:

23 Thank you, Mr. President. Sometimes my exuberance takes the
24 better of me.

25 Q. Now, so I take it, based on your answer, dozing off is just a

1 natural process and, therefore, if someone like Mr. Ieng Sary is
2 dozing in and out for the proceedings, he's nonetheless following
3 them, in your opinion; is that what you're telling us, or did I
4 get it wrong?

5 MR. CAMPBELL:

6 A. You got it wrong. What I'm saying is he's capable of
7 concentrating.

8 Q. Okay. Now, let's -- we'll get back to that perhaps later, but
9 let's go to your examination.

10 Now, I realize that you have met with him before and so you were
11 familiar with his medical history, and we note that you were
12 provided with a series of documents in preparation for your
13 examination. May I ask whether you reviewed all of those
14 documents prior to meeting with Ieng Sary?

15 A. Yes, I did. I reviewed all the documents dating from the time
16 when I had last seen him, and I had reviewed all previous
17 documents in my previous assessment.

18 Q. Now, pause -- now, it would appear that you were also provided
19 with the transcripts of the testimony from the previous hearing
20 -- unless I'm incorrect -- and, of course, letters,
21 correspondence that the Ieng Sary defence team had with the Trial
22 Chamber, concerning Mr. Ieng Sary's health care?

23 [11.34.44]

24 A. Yes, that is correct. I've read the transcript and some of the
25 statements in the transcripts attributed to the doctors are very

58

1 difficult to understand. I'm not sure if that is due to problems
2 with the translation. Some of the conclusions they draw are
3 clearly not correct.

4 Q. All right. And so - well, then, one of our letters where we
5 make reference to the transcript and this is a letter of the 24th
6 of October, 2012; we noted that on the transcript page, Dr. Lim
7 Sivutha indicated that more than 15 minutes, they were unable to
8 really carry on -- interview Mr. Ieng Sary; the maximum period
9 was about 15 minutes. That wasn't the case that you found when
10 you met Mr. Ieng Sary?

11 A. No, not at all. I saw him for an hour to an hour and a half
12 and there were no problems during that time. What page of the
13 transcript is that?

14 [11.36.08]

15 Q. It's on page 62 to 63.

16 Now, obviously, from your testimony today and from your report,
17 you disagree with the doctors' -- the Khmer doctors' assessment
18 -- medical assessment; is that correct?

19 A. (Microphone not activated)

20 Q. Well, you were provided the transcript and you were provided
21 the letter, Doctor, and you indicated that you reviewed all this
22 material prior to that. Surely, if we had misstated the facts,
23 you would have caught that.

24 A. (Microphone not activated)

25 MR. PRESIDENT:

1 Co-Prosecutor, you may now proceed.

2 MR. SMITH:

3 Your Honour, I have no objection, but I'm just wondering whether
4 the passage from the letter could be read to the expert so he
5 understand the basis of the question and answer more concretely?

6 [11.37.32]

7 Because the passage is not so general, in a sense, that was put
8 forward by counsel. They refer to one interview -- had to be
9 limited -- and then after that they state that, I think, so far,
10 the maximum time we spent interviewing him was about 15 minutes,
11 but the limiting of the interview related to one incident. So it'
12 not quite clear whether all interviews could only extend for 15
13 minutes because of the limitations, or it was just that one
14 interview that was limited, and the other interviews just
15 happened to be for 15 minutes. So perhaps if that passage could
16 be put, at least the doctor would be able to answer more clearly.

17 [11.38.17]

18 MR. KARNAVAS:

19 Again, Mr. President, the purpose of submitting this letter,
20 which obviously the letter was to provide information to Dr.
21 Campbell, was to highlight areas that we saw concerned with the
22 testimony by Dr. Lim Sivutha.

23 BY MR. KARNAVAS:

24 Q. Now, on page 2, or over a three-page letter, under section 4C,
25 we point out exactly what was said. Now, let me read the passage

60

1 of the letter because I don't want to spend the entire day going
2 through the transcript, although I'm perfectly willing to do
3 that.

4 Sir, if you get the letter out of 24 October 2012 -- and if you
5 don't have a copy one can be provided for you. Okay, right. I'm
6 told it's on the screen. It says:

7 "Dr. Lim Sivutha statement concerning Mr. Ieng Sary's
8 concentration" -- quote: "To me, I do not see any concern
9 regarding this aspect, but of course, I am not the expert in this
10 area". Transcript page 32, and -- quote: "I don't think that
11 there was any issue in relation to his concentration"; transcript
12 page 52: even though Dr. Lim Sivutha recognized that -- quote:
13 "Mr. Ieng Sary had fatigue once he had to respond to a question.
14 Self-fatigue was the main problem facing him. And if we raise our
15 voice, for example, then he attempted to respond in a louder
16 voice, as well. Then he was rather exhausted. So we had to limit
17 the time for the interview. I think so far, the maximum time we
18 spent interviewing him, was about 15 minutes"; transcript page 62
19 to 63.

20 [11.40.27]

21 Now, keeping these passages in mind, let me ask you this
22 question, Dr. Campbell: Assuming that Dr. Lim Sivutha is correct,
23 assuming that he's telling us exactly what he was able to observe
24 -- so we have to accept him at his word -- would you say that
25 someone in this condition, where they are fatigued after

61

1 approximately 15 minutes, are they capable of following the
2 proceedings and concentrating to the level necessary to assist in
3 their own defence?

4 And I'm not asking you to tell me what you observed when you met
5 Mr. Ieng Sary; I'm just asking you based on this. We're going to
6 get there, step by step.

7 [11.41.26]

8 MR. CAMPBELL:

9 A. The transcript, page 62, indicates that the interviews would
10 normally take 10 to 15 minutes and that would be the normal time
11 for someone reviewing a person's condition. There's no indication
12 in the transcript that it was fatigue limiting that; that was
13 just the normal time, as I've said, that one would interview
14 someone when one is doing a routine review.

15 Q. All right. So you disagree with what he's saying over here,
16 with the part that I quoted, "had fatigue, once he had to respond
17 to a question, self-fatigue was the main problem facing him".
18 You're saying that's not in the transcript or that's not what he
19 observed? Which of the two? I take it, neither.

20 A. Certainly, as I have said, not my experience with him.

21 Q. Well, I'm not asking you for your experience. And that's what
22 I'm trying to get at. We're going to talk about your experience.
23 I'm asking you, based on what this doctor saw, based on his
24 description, would you say, based on your definition of being
25 able to concentrate, someone who is so fatigued, based on the

62

1 description given by the doctor, would this person be able to
2 concentrate for an entire session?

3 [11.42.53]

4 MR. SMITH:

5 Your Honour, I would object to the - to the question because as
6 the professor said, I think counsel is mischaracterizing the
7 transcript. What the doctor states is that, on one occasion he
8 was fatigued and he got quite exhausted. So he had to limit the
9 time for the interview, not all the interviews, "for the
10 interview", and then the doctor goes on and says: "I think so far
11 the maximum time we spent interviewing him was for about 15
12 minutes." And as the professor has said, the interpretation of
13 this transcript - we're not - the only interpretation - the
14 interpretation is not that all those other interviews only lasted
15 15 minutes because of the fatigue. It just so happens that the
16 doctor has said, look the interviews were for 15 minutes, all of
17 them. But there was one occasion where they specifically state
18 that he was fatigued and he was exhausted. So to characterize
19 that all of the interviews could have only proceeded for 15
20 minutes, misrepresents the transcript.

21 [11.44.08]

22 MR. KARNAVAS:

23 Mr. President, again, this is a clever way of obstructing because
24 he's mischaracterizing the thrust of my question. I'm trying to
25 draw out the doctor to see whether, based on the testimony that

63

1 we have from - that we have on the record so far, whether based
2 on those conditions, as described, someone would meet the level
3 of concentration as he's told us earlier when I asked him what is
4 the level of concentration needed to assist in one's defence.
5 If someone is so fatigued that after 10 or 15 minutes can no
6 longer answer questions, is that person capable. Now, I
7 understand the doctor did not see that, I'm just going from the
8 general and then we're going to get to the specifics. So can he
9 answer that question?

10 I know replies are normally allowed, Mr. President.

11 MR. PRESIDENT:

12 Co-Prosecutor, you may now proceed.

13 [11.45.15]

14 MR. SMITH:

15 Thank you. I don't think it's the first time that counsel has had
16 a - tried to attempt a reply. In any event, Your Honours, what
17 counsel is doing, he's mixing questions of fact and speculation.
18 If - if he wants to put a hypothetical situation to the doctor,
19 we have no objections, if it's specific enough and not vague. But
20 what he can't do is speculate and mix fact with that - and mix
21 fact incorrectly. He either has to do one or the other -- put the
22 exact factual situation which, we say, was a misinterpretation of
23 how he put it, or he puts a hypothetical situation. But once he
24 starts to mix hypothetical with fact, then the answer becomes
25 virtually worthless because it's not based on any solid grounds.

64

1 That's - that's all we ask. So the two techniques are fine, but
2 we - we'd ask that he doesn't mix them in the same sentence.

3 [11.46.16]

4 MR. KARNAVAS:

5 Mr. President, that's why I gave him the transcript, that's why -
6 that's why we--

7 MR. PRESIDENT:

8 The objection is sustained. Mr. Expert is directed not to respond
9 to that question.

10 BY MR. KARNAVAS:

11 Q. I take it, Doctor, that you did not spend an entire day with
12 Mr. Ieng Sary -- say from 9 o'clock to 10.30, take a 20 minute
13 break, go until 12.00, take another one hour and a half break,
14 then go until about 3.30, 3.40, take another short break, and
15 then go until 4 o'clock -- you did not do that on any of your
16 examinations, did you?

17 MR. CAMPBELL:

18 A. No, I did not. I saw him for an hour to an hour and a half --
19 he was showing no fatigue at the end of that session -- then had
20 a break, and he was fully alert when we came back.

21 [11.47.32]

22 Q. Thank you. Now - so the answer is that you never conducted a
23 test replicating the actual times that he would be sitting in
24 court to see whether, say at 3 o'clock, or at 3.30, he would be
25 alert and able to concentrate to follow the proceedings -- not

Extraordinary Chambers in the Courts of Cambodia
Trial Chamber – Trial Day 129
Case No. 002/19-09-2007-ECCC/TC
8/11/2012

65

1 dozing in and out, not falling asleep. You did not conduct or try
2 to replicate the actual hours of the Court?

3 A. No, that was not done either by me or by Dr. Seena Fazel, for
4 example, when he saw him previously, because we saw no need for
5 that; he had concentrated fully, there was no evidence of
6 cognitive impairment when we've assessed him.

7 [11.48.33]

8 Q. All right. So you're - so, based on your--

9 MR. PRESIDENT:

10 Counsel, we know you understand my gesture -- you went too fast.
11 You may proceed, but slower, please. Because when the right light
12 is still on when Professor Campbell still had the floor, then you
13 proceeded, it would bring a lot of difficulty to the rendition.

14 BY MR. KARNAVAS:

15 Q. All right. Now, so I take it, Dr. Campbell, that it is your
16 medical opinion that if you test somebody in the morning -- as
17 you did -- hour and half and then have a little break and then -
18 and then you determine that that person is able to concentrate,
19 based on that test, you can conclude to a medical degree of
20 certainty that, in the afternoon, a - that same person, given the
21 constraints that they may have: physical and mental, would have
22 the same capacity to concentrate as they did in the morning
23 session when you met them?

24 [11.49.44]

25 MR. PRESIDENT:

66

1 Professor Campbell, please hold on.

2 And, International Co-Prosecutor, you may now proceed.

3 MR. SMITH:

4 Again, the question is mixing fact and a hypothetical.

5 For this to be useful, I think it should be put that given that -

6 he mentioned that given a situation for a person that has

7 physical and mental constraints.

8 The professor has been testifying all morning that Mr. Ieng Sary

9 has got no mental constraints. It would be different if he said

10 assuming someone has a health condition, some health problems like

11 Mr. Ieng Sary but still of having the full mental facilities,

12 which is his evidence, would he get tired in the afternoon. But

13 what he's premising the question on is that, this person has

14 physical and mental constraints and then would be get tired in

15 the afternoon. And that's certainly not the professor's evidence,

16 particularly if they're trying to use an example that will assist

17 the Court. That's misleading the witness, that he - Ieng Sary has

18 got mental constraints as the basis of the question.

19 [11.51.15]

20 BY MR. KARNAVAS:

21 I'll rephrase, Mr. President.

22 Q. Let me be specific, all right? It is your medical opinion

23 that, since you've tested him in the morning -- hour and half --

24 break, then, again, you saw him, given his state, physical,

25 mental, whatever it may be -- we are taking about the same

1 person, so there should be no confusion from the Prosecution -
2 so, it's your medical opinion that, because you tested in the
3 morning, obviously it must follow, as day follows night, that in
4 the afternoon he would have the same alertness, the same
5 abilities to concentrate; that is your medical opinion?

6 MR. CAMPBELL:

7 A. Yes. Both I and the others who saw him earlier consider that
8 he would be able to concentrate. Clearly people's ability to
9 concentrate does vary during the day; we're more likely to have a
10 snooze after lunch for example. But that doesn't mean the person
11 is not capable of concentrating.

12 [11.52.30]

13 Q. And in your - and in your examination, of course, this time,
14 you did examine him in the afternoon to see whether, in fact,
15 this was the case with this particular individual, Mr. Ieng Sary,
16 in light of the conditions that he - that he was in?

17 A. We examined him in the afternoon because by the time we'd
18 finished in the morning it was the natural lunch break and so we
19 saw him after lunch. And that time, again, went through memory
20 testing which he performed well in.

21 Q. All right. So give us the exact hour. When did you test him in
22 the morning, and then when in the afternoon?

23 A. I think we must have tested him in the morning from about half
24 past 10.00, quarter to 11.00 through to 12.00, and then in the
25 afternoon, I think, from 1 o'clock.

1 Q. Well, what was his mental condition – sorry. Well, what was
2 his mental – how was he feeling? How was he able to concentrate
3 that morning – say, around 9 o'clock? Do you know?

4 [11.54.02]

5 A. Well, no, I didn't see him at 9 o'clock, but I have no reason
6 to suspect that he would not be able to concentrate at that time.

7 Q. All right. And, of course, you didn't see him around 3.30 or 4
8 o'clock, but nonetheless it is your opinion that he would have
9 the same level of concentration.

10 A. It's my opinion that he would be capable of the same level of
11 concentration.

12 Q. Okay. Now you're going to have to help me out here on that
13 one, because you use the word "capable"; what does that mean?
14 What do you mean by that? Help us out here.

15 [11.54.55]

16 A. It means that, should he have wanted to concentrate, he would
17 have been able to. I mean, there are some things that -- I mean,
18 we concentrate to a greater degree; other things, if the material
19 is not so relevant to us, we may not concentrate to the same
20 degree. But what I'm saying is that I see no reason why he should
21 not be capable of concentrating should he consider it in his
22 interest to do so.

23 Q. All right. Now, I think I understand what you're saying, but –
24 so let me get this straight. If – if he's fatigued, if he's
25 tired, if he's dozing in and out, you are saying, nonetheless,

69

1 despite of being in that state, he is capable, he can force
2 himself, will himself to concentrate. Is that what you're saying?

3 MR. PRESIDENT:

4 Co-Prosecutor, you may proceed, while Professor Campbell is
5 instructed to hold on, please.

6 [11.55.55]

7 MR. SMITH:

8 Of course, I don't want to continually object, but the -
9 obviously, the issue is, this expert's testimony is important and
10 it's important that the questions are clear.

11 In this question, there was two propositions put that made the
12 question confusing. The first proposition was no - in this state,
13 in this state in the afternoon when a person is dozing in and
14 out, are you still saying that they would have the ability to
15 concentrate. What - what the professor -- what the professor has
16 said - it's clear that he's of the view that during the day Ieng
17 Sary would - has a choice whether he decides to stay alert or not
18 stay alert. And so that's - to me that's the question, whereas
19 learned counsel is putting forward this factual situation that
20 the accused or person is dozing in and out. The import of the
21 professor's evidence is that there is some degree of volition of
22 whether or not in fact one decides to doze in or out. And so, I
23 think that should be the focus of the question; otherwise, I
24 think it's misleading.

25 [11.57.31]

1 MR. KARNAVAS:

2 Mr. President, first, we started the objection was, that it was
3 confusing, now it's misleading.

4 Dr. Campbell used the word "capable" -- "capable".

5 BY MR. KARNAVAS:

6 Q. Now, the question is rather simple: we have a gentleman who is
7 87, 88 years old. He has these physical conditions, at times he's
8 on oxygen so he can breathe. He's in pain. So that's - that we
9 all know.

10 And now my question is: If Ieng Sary is dozing off, is it his
11 opinion that it's because of a lack of - this is a choice - that
12 he is exercising a choice, he means to doze off, he doesn't
13 really want to concentrate, or is it that, perhaps because of his
14 physical condition, he is unable to stay alert and, therefore,
15 unable to concentrate?

16 I hope my question is sufficiently clear. And I see the
17 Prosecution shaking their head, so I think we're not going to get
18 an objection, Doctor.

19 MR. CAMPBELL:

20 A. Well, two things: Firstly, he is physically frail and that
21 means he will tire more easily than other people. But the length
22 of time of the Court sessions are not such that they are to -
23 would be too long for him.

24 Secondly, the fact that he dozes off is not an indication that
25 he's not capable of concentrating -- not necessarily a reflection

71

1 on his physical state. We all doze off on occasions.

2 [11.59.22]

3 MR. KARNAVAS:

4 All right. I see that we're coming up to the hour of the - of the
5 lunch break. I'm about to go into something else, Mr. President;
6 perhaps we may break at this moment.

7 MR. PRESIDENT:

8 International Co-Prosecutor, you may now proceed.

9 MR. SMITH:

10 Your Honour, this is not an objection.

11 In relation to planning this afternoon, I'm aware - I believe
12 that we have the professor just here for the day. Defence counsel
13 have examined for the last hour and five minutes. Just for
14 planning purposes for the Prosecution and civil parties, I'm just
15 wondering how much extra time the Defence will be given for
16 examination and then, obviously, that the same amount of time
17 should be available for the Prosecution and the civil parties.
18 So, I am just wondering -- just for planning purposes --
19 particularly with the questions, so that we are as focused and
20 efficient as possible. If we could have some indication before
21 lunch, that would be extremely helpful, Your Honours.

22 [12.00.40]

23 MR. KARNAVAS:

24 Mr. President, I would have been much further ahead had it not
25 been for some - some of these objections, which, in my opinion,

72

1 are gratuitous and unfounded. So that has taken up approximately
2 20 or 30 minutes of my time.
3 Be that as it may, I think I need another hour with the doctor.
4 Of course, I'm in your - I'm in your hands, so I will do as
5 instructed. I do wish to go into some of the aspects of the
6 testing that the doctor conducted. I don't intend to go into any
7 technical matters, so that won't be too long. I think there's
8 just some very basic questions and I think one additional hour in
9 light of what is - the importance of this is not too much, and I
10 think that should give the Prosecution sufficient time, with the
11 civil parties -- in light of your instruction that there be no
12 repetitiveness -- to ask whatever questions, particularly when,
13 in fact, many of the questions that the Prosecution wanted to ask
14 were already asked by the Bench. Thank you.

15 [12.02.02]

16 MR. PRESIDENT:

17 May the Prosecution advise the Chamber as to how much time they
18 would need to proceed when putting questions to the expert,
19 please?

20 MR. SMITH:

21 Your Honours, I think - obviously, it depends on if what is
22 raised by the Defence. If the Defence gets - say, if the Defence
23 stopped now, I would - I would suggest that 45 minutes would
24 achieve what we would like to do -- 45 minutes of less. And as -
25 as the defence counsel -- a lot of ground has been covered, but

73

1 if the Defence continues for another hour, they may raise a lot
2 of new issues of which we would like the opportunity to have more
3 time to address those issues. But as of now, I would suggest that
4 the Prosecution would be 45 minutes or less.

5 [12.03.07]

6 MR. PRESIDENT:

7 What about counsels for the Lead – for the civil parties?

8 MS. SIMONNEAU-FORT:

9 Mr. President, given the state of affairs, I don't believe that
10 we should exceed 15 minutes.

11 Thank you.

12 (Judges Deliberate)

13 [12.06.25]

14 MR. PRESIDENT:

15 With regard to the time allocation, and having heard from parties
16 to the proceeding, the Chamber notes that it is appropriate to
17 allow counsel for Ieng Sary to continue putting questions to the
18 expert for another 45 minutes after the break. And the remaining
19 time would be allocated to both the Prosecution and the civil
20 party lawyers.

21 And, indeed, we will observe some time also by the end of the day
22 when parties – all party would then be given the opportunity to
23 have a few final words.

24 The Chamber would like to adjourn for the time being, and the
25 next session will be resumed by 1.30 pm.

74

1 Court officer is now instructed to assist Mr. Expert during the
2 adjournment and have him returned to the courtroom by 1.30 pm.
3 (Court recesses from 1207H to 1330H)

4 MR. PRESIDENT:

5 Please be seated. The Court is now back in session.

6 We continue giving the floor to counsel for Mr. Ieng Sary to
7 continue posing questions to the expert. You may proceed.

8 BY MR. KARNAVAS:

9 Thank you, Mr. President, and good afternoon to everyone in and
10 around the courtroom and good afternoon, Doctor.

11 [13.33.08]

12 Q. Before we go into your report a little bit, may I ask, when
13 you examined Mr. Ieng Sary, was he able to get out of bed?

14 MR. CAMPBELL:

15 A. He was able to get out of bed -- excuse me -- with assistance.

16 Q. Well, anyone can get out of bed with assistance. Was he able
17 to get out of bed without assistance -- on his own accord, that
18 is?

19 A. No, he was not.

20 Q. Was he able to sit up on his own accord?

21 A. When he was sitting up, I arranged for him to have some
22 support as well. I mean, he would be able to sit on a chair with
23 -- with a back on it, but not sit on the side of the bed without
24 support.

25 [13.34.16]

75

1 Q. Well, maybe I was -- I inartfully put the question to you.

2 Was he able to -- if he's lying down on a bed -- on his own
3 accord, get himself up into a sitting position on the bed?

4 A. No, he had assistance when he was doing that.

5 Q. Was he able to move from one side to the other on the bed
6 without assistance, you know, to roll on the side?

7 A. Yes, he was.

8 Q. This is -- this is unassisted?

9 A. That's right.

10 Q. Okay. And -- or was he able to -- did he have full range of
11 motion of his neck? This -- you seem, in your report, to indicate
12 that he's able to move his neck rather freely.

13 [13.35.20]

14 A. Yes, I tested his neck movement and he had full movement of
15 his neck.

16 Q. Did he complain of numbness?

17 A. As I indicate in my report, he complained of numbness from
18 just above the wrist and the hands and in the lower part of the
19 shins, down on the feet.

20 Q. Well, what about his legs, did he complain that his legs were
21 numb at times?

22 A. As I said, he complained of some numbness from the distal end
23 of the shin on to his feet.

24 Q. And in your medical opinion, was that -- what was the -- what
25 caused that numbness?

76

1 A. He may well have a peripheral neuropathy -- that is, a
2 disorder of the peripheral nerves where the full sensation is not
3 coming back from the peripheral nerves, but he did have preserved
4 position sense when I moved his wrist and his ankle.

5 [13.36.30]

6 Q. Could it also be because he's just lying there in bed unable,
7 on his own accord, to move and adjust himself periodically so
8 that his limbs are not becoming numb?

9 A. No, that would not cause those problems.

10 Q. Now, did you check his back to see whether he had any
11 bedsores?

12 A. I looked at his back -- lower back; didn't examine his
13 buttocks, but there were no sign of any bedsores.

14 Q. All right. And in your opinion, is he able, on his own accord,
15 for instance, to -- to assist himself in order to relieve
16 himself?

17 A. Yes, once he had a bottle, he was able to manage that.

18 Q. When you say "once he had a bottle", somebody has to bring the
19 bottle to him; right?

20 A. Well, there was not a bottle right next to him. If he had a
21 bottle right next to him, I think he probably would be able to
22 use that, but I didn't test that.

23 [13.37.58]

24 Q. So, it is your -- it is your opinion, from your examination,
25 that he's able to reach over, if it's within reaching distance --

77

1 on his own accord, reach over, grab the bottle, lift himself up,
2 and urinate?

3 A. If he was urinating lying down, then he probably would need
4 assistance with the whole process.

5 Q. Now, in your report, you use the word "giddiness" and I think,
6 at one other point, you use "dizziness". I'm not a doctor, so I
7 don't know, you know, the difference. Is there a difference? Is
8 one inclusive in the other, or are you using these words
9 interchangeably? And forgive me if I'm -- if I'm being simple.

10 A. I'm using it interchangeably. They're both non-specific terms
11 and as I said, they can indicate a number of different problems;
12 either a spinning sensation, vertigo, or a light-headed, faint
13 feeling if the blood pressure is low, or a sense of instability
14 if the person's balance or strength is such that they don't feel
15 secure when they're standing.

16 Q. All right. So, just to make sure -- I'm not being critical,
17 just to make sure, when I read your report and I see "giddiness",
18 because I'm not used to that word -- at least in our context or
19 my context, this might be different -- "giddiness" and "dizziness"
20 is basically the same thing?

21 A. That's correct.

22 [13.39.45]

23 Q. Now, when somebody is dizzy or is experiencing this feeling of
24 dizziness, would that in any way impair their ability to
25 concentrate to the level that you are concentrating now? And I

78

1 see I have your full concentration. What do you think?

2 A. As I said, his dizziness is arising from three main causes.

3 Firstly, a vertigo feeling when he turns his head and that is

4 brief, and with a neck collar that should be prevented; a faint

5 feeling if he's sitting for prolonged periods and his blood

6 pressure is low, but again, if he's lying in his bed on the

7 holding cell that won't be a problem and then, of course, if he

8 tries to stand and feels unsteady, but again, that's not going to

9 be a problem when he's listening to the court proceedings.

10 [13.40.45]

11 Q. Doctor, I've heard your testimony on direct examination this

12 morning; you were very clear. But my question was -- and if you

13 could answer my question - is: Would dizziness, albeit however

14 brief or however extended, would that affect an individual's

15 ability to concentrate to the level that you're concentrating,

16 which is the standard that we set this morning, based on your

17 testimony?

18 A. Well, it's impossible to speculate across the whole range of

19 dizziness. When we talk about Ieng Sary, no, his dizziness would

20 not interfere with his ability to concentrate.

21 Q. All right. So you -- but -- so your answer is limited to my

22 client, but you're not willing to go so far as to say, with any

23 degree of medical certainty, that dizziness would not affect or

24 impact one's ability to concentrate; is that what I'm hearing

25 from you?

1 A. What I've said in my report is that dizziness arises from
2 multiple different causes and certain causes that may impair the
3 person's ability, so one can't speculate across the whole range
4 of dizziness. It's important to specify in relationship to the
5 particular person and the particular cause of his dizziness.

6 [13.42.18]

7 Q. So the answer to my question, Doctor, is yes?

8 A. Well, the answer to your question is that it is of no
9 relevance because we're not speculating about everyone who has
10 dizziness; we're talking about one specific person.

11 Q. All right, very well. Now, can you please tell us how you
12 measured the level of dizziness -- what exact test did you
13 perform, at which time -- so that we know the range of dizziness
14 that Mr. Ieng Sary was feeling at any particular time -- what
15 specific tests -- name them -- so then we could probably have
16 either a discussion or have someone else look into those tests?

17 A. The two tests I used to determine the cause of the dizziness
18 was the Dix-Hallpike manoeuver which is where the person is
19 taken, their head's turned, and they're lie -- lay backwards to
20 see if there's any spinning sensation and any nystagmus and there
21 was. And the second test was his lying and standing blood
22 pressure. There's no -- dizziness is a subjective sensation, so
23 there's no test you can actually use to measure it.

24 [13.43.43]

25 Q. Well, Doctor, that was exactly what I was getting at. That was

80

1 the thrust of my question, because my question was the degree.
2 How were you able to measure? Because now you're saying his
3 dizziness, in your medical expertise, would not affect his
4 ability to concentrate. He can concentrate as well as you are
5 concentrating right now.

6 And so my question is: What exact test did you perform that would
7 give you the range of dizziness that he's experiencing? And so,
8 if I understand you, there is no test. It's a subjective matter;
9 right?

10 A. Well, let me clarify again. It depends very much on the cause
11 of the dizziness and I undertook tests to see if he had benign
12 positional vertigo which is a dizziness or a vertigo that only
13 lasts a few seconds, and with a neck collar to prevent head
14 movement, won't interfere with his ability to concentrate.

15 Secondly, if he had persistent low blood pressure, that may well
16 interfere with his ability to concentrate, but he's not going to
17 be in a situation where that is an issue.

18 [13.45.03]

19 Q. Now, I think we got that right. I think we -- you know, we
20 understand each other or I think I do. Now, it seems to me that
21 the Cambodian doctors -- and there's a board of them -- they had
22 reached a different conclusion as to why Mr. Ieng Sary may
23 experience some dizziness; is that correct? And I know that
24 you've discounted it, but I just want to get a confirmation on
25 that.

81

1 A. Yes, they felt that he had vertebrobasilar ischemia; that is,
2 an impairment of the blood supply from the vertebral arteries.
3 Now, if I can clarify that, Ieng Sary has long-standing high
4 blood pressure and he also has heart disease, so a narrowing of
5 the coronary arteries. He almost certainly has atherosclerosis
6 involving the blood supply to the head, as do most people,
7 especially in Western society, of his age. But none of his
8 symptoms, currently, can be attributed to that. As I've said,
9 giddiness, in the form that he has it, is not a single isolated
10 symptom of vertebrobasilar ischemia and I've given you a
11 reference to that. And there are no other symptoms or signs, on
12 examination, to indicate that he has brain stem or cerebellar
13 strokes or ischemia. That doesn't mean that he's not at risk of
14 that in the future, but there's no sign of that -- damage from
15 that at present.

16 [13.46.50]

17 Q. All right. And forgive me if I'm not going to go too much into
18 the technical aspects of it, but based on what you just indicated
19 to us, with all his particular ailments, you don't -- it is not
20 your medical opinion that any -- any one of those or a
21 combination; in other words, the way he is now, that that would
22 not, in any way, impact his ability to concentrate the way you're
23 concentrating here today?

24 A. He will tire easily, obviously, because he lacks physical
25 ability. All his movements require his full strength, but given

82

1 that he has been largely inactive and he will be lying down, I
2 don't think that will be a problem.

3 [13.47.51]

4 Q. All right. Thank you, Doctor. Now -- but the bottom line is
5 these doctors -- and in particular Dr. Lim Sivutha, who came and
6 testified -- based on your medical expertise, just got it wrong.
7 Maybe I'm being too blunt, but sometimes bluntness cuts to the --
8 the chase as they say.

9 MR. SMITH:

10 I think -- I think perhaps counsel was being a bit blunt. The
11 other doctor testified to a lot of things and there was some --
12 some agreement and some difference in opinion. To say that this
13 other doctor came to Court and just got it wrong just leads to a
14 very misleading answer if he's to answer that yes or no. I think
15 the difference of opinion has been put and the professor has
16 answered it. I don't think it helps to say that the previous
17 doctor just got it wrong because it's really unclear as to what
18 he's talking about. I think he should be specific as to what
19 aspect he got wrong.

20 [13.49.07]

21 BY MR. KARNAVAS:

22 Q. Doctor, did you not tell us earlier -- and in your report you
23 seemed to indicate that you disagree -- you disagree with the
24 findings of the previous doctors who came and testified? That's
25 in your report, so I'm not asking you to speculate, I'm not

Extraordinary Chambers in the Courts of Cambodia
Trial Chamber – Trial Day 129
Case No. 002/19-09-2007-ECCC/TC
8/11/2012

83

1 asking you to conjecture. It's very, very clear there's no need
2 for objections.

3 So, in your report, do you not find that they have it wrong, at
4 least that aspect of their evaluation?

5 MR. CAMPBELL:

6 A. What I'm saying is that there are no signs or symptoms at
7 present that would indicate that Ieng Sary is suffering from lack
8 of blood supply to his brain. And that's not to say, as I said,
9 that he may not have a stroke in the future, but currently, there
10 are no indications that impaired blood supply to his head is
11 contributing to his symptoms and there were no signs, on
12 examination, of impaired blood supply; previous strokes, for
13 example.

14 [13.50.15]

15 Q. And that was the -- but that was the testimony of the
16 Cambodian doctors; wasn't it?

17 A. They thought he had vertebrobasilar ischemia. As I said,
18 that's been a diagnosis which many symptoms were attributed to,
19 but current evidence would indicate that those symptoms are often
20 -- more often due to other causes and I've outlined the causes in
21 my report.

22 Q. And could we say that you provided a second opinion; would
23 that be one way of putting it?

24 A. Well, I provided my opinion, yes.

25 Q. All right. And in fact, you go on to say that he's on certain

84

1 medication and in your opinion, that medication should be
2 reduced, if not at some point be eliminated, because that also
3 may be contributing to or, in fact, is contributing to his
4 dizziness.

5 A. It may be contributing to his dizziness. In the studies of
6 dizziness -- population studies, around 23, 25 per cent of
7 patients have medications that are contributing to dizziness.
8 Now, I'm not sure that it will make very much difference to Ieng
9 Sary because he's had this vertigo, the spinning sensation, for
10 some time and that was before these medications were used.

11 [13.52.02]

12 Q. But nonetheless, this is also a second opinion, your opinion.
13 You differ with what the Cambodian doctors are treating him with;
14 could we call it that? And I'm not saying one is better than the
15 other; I'm just simply pointing out the obvious.

16 A. Yes, you could. If he were a patient of mine, I would
17 gradually reduce those medications.

18 Q. In other words, you disagree with the Cambodian doctors.

19 A. My approach to his management may well be different. I
20 commonly reduce medications because often in older people,
21 especially older people on a number of medications, as he is, do
22 suffer adverse effects from medication and they do need to be
23 reduced.

24 [13.52.57]

25 Q. Thank you.

1 Now, I looked at your report and it doesn't appear -- and I --
2 maybe this is an oversight in typing out the report, but it would
3 appear, at least, in paragraph 4 of your report, that you did not
4 consult with or have an opportunity to discuss Mr. Ieng Sary's
5 medical condition with Dr. Lim Sivutha; is that correct? It's not
6 in the report; at least, I don't see it.

7 A. If his name's not listed there, then he would not have been--

8 Q. Consulted.

9 A. --consulted.

10 Q. Okay, so was it--

11 A. I -- the arrangement was that all the doctors treating Ieng
12 Sary would meet with me, both before and after I had spent time
13 with Ieng Sary, and I've listed their names there.

14 [13.53.56]

15 Q. Right. Okay. And I'm not being critical; I just wanted to make
16 sure that it wasn't some oversight and then I jumped to
17 conclusions. So now that we know that you didn't meet with him,
18 but we do know that you had access to his testimony and that you
19 had access to my letters which describe his testimony, and it
20 would also stand to reason that you knew that he was one of the
21 treating physicians; may I ask -- may I ask, why was it that you
22 were unable to meet with Dr. Lim Sivutha?

23 A. I couldn't answer that. I didn't make the arrangements for the
24 meeting. It was my understanding that all those treating Ieng
25 Sary would be at the preparatory meeting with me and then we'd

86

1 meet again after I had assessed him. So whether he's been on
2 holiday or away, I don't know.

3 Q. Thank you. And I take it from your answer, you never asked to
4 meet with the doctor. I mean, I'm not being critical, but the
5 bottom line is you knew he was one of the treating physicians;
6 when all the doctors showed up, he was not there. You didn't ask
7 the question like, "Oh, by the way, what about Dr., you know, Lim
8 Sivutha, who testified? Has been the treating physician? Where is
9 he? May I speak to him?"

10 [13.55.31]

11 A. No, I didn't, because I wasn't aware that he was not there. I
12 just asked each of the doctors to note their names down on my
13 record of the meeting so that I would know who had attended.
14 There was the neurologist there who had been involved with Ieng
15 Sary's treatment, and as you've said, there was considerable
16 discussion amongst the doctors as to the diagnosis and the
17 treatment.

18 Q. So I take it -- I take it from your answer you are confident
19 that it would not have been necessary or perhaps superfluous to
20 meet with Dr. Lim Sivutha, since you had the rest of the doctors
21 -- the board -- available to you to discuss his condition?

22 A. Yes, I felt I've got a full account from the doctors -- from
23 both the general doctors looking after him, also from the
24 specialist neurologist and the specialist cardiologist.

25 Q. Now, can you tell us which one of the doctors or collection of

1 doctors or was it the entire board that determined that Mr. Ieng
2 Sary was suffering dizziness because his brain wasn't getting
3 enough blood? I'm putting it, sort of, in the vernacular, so we
4 can all follow along.

5 [13.57.05]

6 A. I'm not sure who decided that or who had that particular
7 opinion. I indicated quite clearly to the doctors that I did not
8 feel that was the cause of his problems.

9 Q. And did you have access to their medical reports, the actual
10 examinations, themselves, the test results, in a language which
11 you could follow along and interpret?

12 A. Yes, I did. I had copies of all their medical reports. I also
13 had the CT scan of the cervical region, which as I indicate in my
14 report, I have discussed with a professor of radiology in Dunedin
15 and we're both of the conclusion that the changes within the
16 cervical spine were no greater than one would expect in a man of
17 Ieng Sary's age and that there was no indication of encroachment
18 on the vertebral arteries. As I've said, you can do additional
19 tests of the vertebral arteries through either CT angiography or
20 MRI angiography, but they are not the symptoms or the signs that
21 would justify those additional tests.

22 [13.58.26]

23 Q. Thank you. Now, I take it, Doctor, that -- from your answers
24 that you feel that you had a sufficient amount of time to prepare
25 the report that you did prepare and of course, if you needed more

1 time, you would not have hesitated to ask for more time?

2 A. No, I had plenty of time. I had time to prepare before coming
3 here by reading the doctors' reports and also reviewing the
4 literature myself. I had the two occasions to see Ieng Sary and
5 ample opportunity to take a history from him and to do the
6 necessary examination.

7 Q. All right. Now, going back to what I -- to something I
8 mentioned this morning, and help me out here; I don't mean to
9 push on this issue, but again, we've established that you didn't
10 -- you did not examine him under simulated conditions as a court
11 proceeding, but would it be fair to say that you did not test him
12 in the afternoon to see what he might have remembered in the
13 morning? And I'm not talking about who his children are; I'm
14 talking about something that he would have been concentrating on,
15 assuming that he was capable of doing it, and then to test him
16 later on to see whether he was able to recall and to discuss
17 those matters.

18 [14.00.25]

19 A. No, but we -- when we met in the afternoon and again the next
20 day, it was quite clear that he didn't have any problems with
21 recall as to what had been done previously. He was aware when we
22 did the Dix-Hallpike manoeuvre again what was involved.

23 Q. I thank you.

24 Now, have you been asked, at any point in your career, to provide
25 a second opinion, aside from what you did here today, but back

1 home?

2 A. My whole professional life involves giving a second opinion. I
3 see patients on referral from their general practitioners, at
4 outpatients, and of course, when I'm looking at my patients in
5 the ward, they've already been seen by the house physician and
6 registrar. So I spend my whole professional time, when I'm
7 involved in clinical practice, giving second opinions if not
8 tertiary opinions quite commonly.

9 [14.01.33]

10 Q. So I take it, within the medical profession, that's sort of a
11 common thing for doctors to, sort of, you know, give second
12 opinions or third opinions to examine -- to make sure that --
13 that whatever the diagnosis is, is accurately or as best is
14 accurately discovered?

15 A. It depends very much on the expertise of the doctor. If the
16 person is involved in primary care -- that is a general
17 practitioner, he or she will be giving a primary opinion. If one
18 is a consultant, then one is asked to give a second opinion by
19 the primary care physician and often in hospital, for example, if
20 a patient has been admitted in under cardiology or neurology,
21 then we may well get called in to give a further opinion.

22 Q. Thank you. So from that, I think what I -- if I understand you
23 correctly, there may come occasions where someone with a
24 different specialty may be called in to question -- be called in
25 to give a further opinion; in other words, look at it from a

1 different context, a different angle, different perspective?

2 A. Yes, that is so.

3 [14.03.15]

4 Q. Okay, thank you. And I take it -- now, you told us that you've
5 been asked to give a second opinion. To your knowledge, do you
6 know whether another doctor has ever been asked to give -- to
7 give a second or third opinion to your opinion?

8 A. If I wanted a further opinion, then I would ask for it. For
9 example, if I have a patient with cancer, I would ask the
10 oncologist, the cancer specialist, to come and give his or her
11 opinion. Ultimately, especially in geriatric medicine, it's very
12 important that the physician such as myself, remain in overall
13 control of what's happening.

14 Q. All right. But certainly, it's not uncommon in your profession
15 for the patient also, on their own, to ask for a second or third
16 opinion especially when the news sometimes is -- is not so
17 optimistic?

18 A. Yes, they may and we would discuss it with the -- with the
19 patient and if there was a good reason for a further opinion,
20 then we would ask for that.

21 [14.04.40]

22 Q. Now, getting back to how we started this morning -- and
23 perhaps we got off on the wrong foot, I might have been overly
24 exuberant, you know, first thing in the morning and all that -- I
25 know you were discussing the letter that we received from our

91

1 Harvard professor who had an opportunity to -- to look at your
2 report. If you have it handy, let me just read one part of it. It
3 says: "It is clear that his methodology is unacceptable by any
4 generally accepted as reliable standard for forensic, psychiatric
5 evaluation of competency to stand trial." And then he lists three
6 reasons.

7 Now, do you see that, Doctor?

8 A. I've read that and I'll make comment on it. Firstly--

9 [14.05.46]

10 Q. If I may -- if I may, I'm not -- I don't want to go into the
11 specifics of this yet. I just want to make sure -- we're going to
12 go step by step and I will give you an opportunity to -- to
13 provide your comment on this, but I just want to go step by step
14 on this if you -- if you'll permit me.

15 MR. PRESIDENT:

16 Co-Prosecutor, you may now proceed.

17 MR. SMITH:

18 Your Honour, I object to the line of questioning. In terms of the
19 -- the ruling that we had this morning, the purpose of this
20 document was to -- or seeking medical assistance or consultants
21 was to assist the parties in understanding the professor's
22 report. Judge Cartwright has said this morning that's -- that was
23 the reason why that was allowed.

24 Now, we're going into the -- the realm of putting statements from
25 people that are not experts before this Court and it's giving

1 them a level of importance in these proceedings which there
2 hasn't been a proper opportunity for this person that they have
3 consulted to even review the medical documentation which he's
4 discussed and even, sort of, take time to prepare an informed
5 report.

6 [14.07.05]

7 Normally, the rules are that before a document is specifically
8 put and specifically quoted, it needs to pass the Rule 87.4 test
9 as admissible evidence and we would certainly object to that and
10 -- but we don't object to the -- the underlying basis of this
11 opinion to be put directly to the professor in relation to his
12 work. But to put these statements to the professor, we would
13 object to because we believe the quality of the report, the time
14 taken to prepare for it is certainly not sufficient.

15 MR. KARNAVAS:

16 Mr. President, if I may respond to this five-minute objection, I
17 thought I was following exactly the guidance provided to me by
18 Judge Cartwright who also went into this -- this letter. I don't
19 call it a report because I don't want to mislead anyone that this
20 is an actual report. I specifically stopped the doctor from going
21 on into this area because I don't want to get into a lengthy
22 debate on this particular document, but merely to get him to
23 acknowledge that that's what this letter says from a doctor from
24 the Harvard University Medical School who is a professor, like
25 himself, and who has some 30 years experience in the area of

1 forensic psychiatry.

2 [14.08.40]

3 My next set of questions were going to be because we just covered
4 -- we just discussed how this particular doctor gives seconds and
5 third opinions and sometimes bring in others from other areas to
6 look into the opinions of doctors. I wanted to give the gentleman
7 an opportunity to tell us whether it would, in his opinion, you
8 know, or to express -- to express his opinion why he thinks his
9 report, his work, should not go -- undergo any scrutiny. So
10 that's -- that was the thrust. Now, doctors disagree all the
11 time, but that's the -- that's the purpose of my -- of my
12 questioning.

13 And I raise this, Mr. President, because earlier the doctor
14 seemed to flag and telegraph to the Judges -- to you -- that it's
15 unnecessary and I take that as a way of saying, "I don't want my
16 work being scrutinized by an expert".

17 MR. PRESIDENT:

18 Professor Campbell, you may now respond.

19 [14.10.06]

20 MR. CAMPBELL:

21 A. Thank you for the opportunity to respond.

22 Firstly, no expert is an expert when he's basing an opinion on
23 very little information and not the full story.

24 Secondly, it's important to recognize that we had a very well
25 qualified forensic psychiatrist who examined Ieng Sary in August

94

1 and his opinion was that he was fit to stand trial and that's
2 clearly outlined, the reasons for that, in that report of the 3rd
3 of September. What I have said is that I have not been aware of
4 any change in Ieng Sary's cognitive function from the time that
5 report was written until the current time, so I do not see that
6 there's a need to invite, for example, Dr. Seena Fazel, back to
7 repeat that assessment.

8 [14.11.01]

9 BY MR. KARNAVAS:

10 Q. All right. But I thought -- earlier, you had given us the
11 impression that it was unnecessary to have this particular
12 professor be engaged to give a second opinion or a third opinion
13 or to examine Mr. Ieng Sary, and as I take it from your answer,
14 you're saying no, you're not of that opinion at this moment.

15 MR. CAMPBELL:

16 A. You're referring to the Harvard professor?

17 Q. Yes, precisely.

18 A. Yes. No, I feel there was no need at all. Ieng Sary has been
19 fully assessed by Dr. Seena Fazel who is very well qualified, a
20 very experienced forensic psychiatrist from Oxford and his
21 opinion is clearly expressed in the report of the 3rd of
22 September. As I've said, there has been no significant change in
23 the situation since then.

24 Q. Now, you have looked at--

25 MR. PRESIDENT:

1 Counsel, please be reminded that you have five more minutes to
2 proceed.

3 [14.12.15]

4 BY MR. KARNAVAS:

5 Thank you, Mr. President. Thank you.

6 Q. Now, obviously, you've seen the c.v. from the Harvard
7 professor?

8 It's a simple question; it's a yes or no. He's seen the c.v. It's
9 on the record, Mr. President. I don't see the reasons why Mr.
10 Smith deliberately wants to obstruct the proceedings.

11 MR. SMITH:

12 I take offence to those remarks. That's absolutely not what I'm
13 trying to do.

14 I'm -- what I'm trying to do is to make sure that counsel follows
15 the ruling of the Trial Chamber and the ruling of the Trial
16 Chamber was that this report or this letter was to assist in
17 their understanding of the professor's report. Reading out c.v.'s
18 of people at Harvard or whatever university, it doesn't do that.
19 I'm not sure why he's actually doing this, but it's certainly not
20 relevant to the exercise and certainly not what -- what Your
21 Honours have endorsed.

22 [14.13.25]

23 MR. KARNAVAS:

24 Mr. President, since my learned colleague has an inability to
25 follow what I'm trying to do, the doctor here just said that this

1 individual, a professor from Harvard, is not an expert. That's
2 exactly what he said. He said, "He's no expert."
3 Now, we have not tendered an expert report, but I would like this
4 doctor to, first, confirm whether he's seen the c.v. and, second
5 of all, whether he can confirm or deny this doctor, this
6 professor, being an expert. Maybe he doesn't have an opinion, but
7 certainly, if he's seen the c.v. – or, rather, impressive 29-page
8 c.v., he should be in a position to tell us whether this person
9 would at least meet the criteria of being an expert.

10 [14.14.22]

11 MR. SMITH:

12 Your Honour, the professor didn't say or the context of what he
13 said was not that the professor could not be an expert. That's
14 not the context of what he said. What he said was that he
15 couldn't provide an expert report on Ieng Sary's health condition
16 or mental condition. That was the context of what he said. To
17 actually put words in the professor's mouth that this person is
18 not an expert in some particular capacity is not appropriate to
19 do and that's certainly what -- the professor did not say that.

20 MR. PRESIDENT:

21 Counsel Karnavas, you are advised to rephrase what you are
22 talking about and I'm afraid that you are now talking about
23 something which is not within the scope of the hearing today. You
24 have already been informed that today we are examining the report
25 submitted by the expert; the report on his examination of Mr.

1 Ieng Sary during the time Mr. Ieng Sary had been admitted to the
2 hospital, and that the topic being discussed today is more about
3 whether Mr. Ieng Sary is able to participate in the proceedings
4 or not. But the Chamber notes that you have used some
5 inappropriate words that are not relevant and for that, we would
6 like to say that you have no more time left to put questions to
7 the expert.

8 [14.16.24]

9 MR. KARNAVAS;

10 Well, Mr. President, I do intend to make my record because the
11 mentioning of the professor from Harvard came from the Bench. It
12 did not come from the Defence. This doctor was chosen by you; it
13 wasn't selected by the Defence or the parties. Obviously, the
14 doctor has every reason to be very guarded about his own
15 expertise. He has no compunction saying that the Cambodian
16 doctors are wrong and that he is right, but he does not wish to
17 have others look at his reports and examine and give a second
18 opinion or a third opinion. This is not the first time that the
19 professor from Harvard has been exceedingly critical of Dr.
20 Campbell's work and the whole purpose of this discussion now, in
21 light of his own admissions, the--

22 MR. PRESIDENT:

23 Again, Counsel, you had been given the floor to examine the
24 report compiled by the expert, not an opportunity to verbally
25 attack the expert or anyone here, so many of your questions did

98

1 not fall within the scope of the hearing today.

2 [14.17.57]

3 MR. CAMPBELL:

4 Thank you. I would just like to clarify a couple of points.

5 Firstly, I did not call into question the expertise of the

6 professor from Harvard. I did call into question his opinion

7 because it was not based on all the facts and the files. And

8 thirdly, the reason I do not feel we need another forensic

9 psychiatrist's examination is that we have had Ieng Sary, very

10 recently, examined by a very competent, experienced forensic

11 psychiatrist, Seena Fazel.

12 BY MR. KARNAVAS:

13 Q. And that's your opinion?

14 [14.18.46]

15 MR. CAMPBELL:

16 A. No, those are the facts. Seena Fazel is a very well qualified

17 forensic psychiatrist. He has examined Ieng Sary recently, as you

18 will see from the report of the 3rd of September, and there is no

19 evidence of change in Ieng Sary's cognitive or mental state since

20 then.

21 Q. Doctor, it's your opinion that another expert should not be

22 called in -- that's what I meant -- and in particular this one,

23 who is critical of the manner in which you conducted the tests

24 and prepared this report?

25 MR. PRESIDENT:

1 Mr. Campbell, you should not respond to this question anyway.

2 Since it is now appropriate time for adjournment, the Chamber
3 will adjourn for 20 minutes.

4 Court officer is now instructed to assist Doctor Campbell during
5 the break and have him returned to the courtroom by 20 to 3.00 --
6 25 to 3.00, rather.

7 (Court recesses from 1420H to 1439H)

8 MR. PRESIDENT:

9 Please be seated. The Court is now back in session.

10 Next, we would like to hand over to the Prosecution to put some
11 questions to the expert. You may now proceed.

12 [14.40.11]

13 QUESTIONING BY MR. SMITH:

14 Thank you, Mr. President. Thank you, Your Honours. Good
15 afternoon, Professor.

16 Q. The last session of the Court day is arguably the most
17 difficult time for all of to us concentrate no matter what our
18 age is. So I ask that you persevere with the questions that I'll
19 ask.

20 As we all know, a lot of ground has been covered this morning,
21 initially by your summary of your opinion and then by Judge
22 Cartwright and then by my learned friend, counsel for Ieng Sary.
23 So I will go through some particular points that perhaps if you
24 could cast some extra light on and then we'll just move across a
25 few of the different areas to focus -- just a bit of detail on

100

1 some specific points, if you can.

2 [14.41.06]

3 I will first ask you a few questions about his mental health,
4 then a few questions about his physical health, and then my
5 colleague, Mr. Dararasmey, Chan, will ask you some final
6 questions as to the standard of care that you believe can be
7 provided at the detention facility of the ECCC.

8 So, if I can start firstly with your experience in relation to
9 assessing people with cognitive impairments, alleged cognitive
10 impairments, and people that may be faced with a situation that
11 they may not -- there may be an argument about whether they're
12 fit to plead before a Court or fit to make decisions as a result
13 of certain legislative rights that people may have in any one
14 country.

15 [14.42.04]

16 And if I can point to your curriculum vitae, which is E62.1, if I
17 can just quote part of that curriculum vitae as to what appears
18 to be your experience in this area -- if I can quote:

19 "The Patients under his Clinical Care, he has filed many expert
20 reports in the court concerning applications under the New
21 Zealand Protection of Personal and Property Rights Act [...],
22 legislation which enables a court to make orders for the personal
23 care and welfare of those who lack the capacity to communicate
24 decisions as well as for the administration of personal property
25 where a person lacks the capacity to manage his or her own

101

1 affairs. The majority of these reports concern patients with
2 cognitive impairment. And in addition he has provided reports to
3 the High Court of New Zealand concerning the testamentary
4 capacity of people whose wills have been challenged. And he has
5 also provided an assessment for the court to enable it to
6 determine if a person who had both physical and cognitive
7 impairment was fit to stand trial."

8 [14.43.22]

9 So, in that - in that context, can you provide - provide the
10 Court with some - just a bit of further information about how
11 many cases or how many reports over the years that you believe
12 you may have written in each of these combined, but certainly on
13 this particular issue of whether someone has the proper mental
14 capacity to carry out their rights and obligations, just briefly?

15 MR. KARNAVAS:

16 Mr. President, may I be heard?

17 MR. PRESIDENT:

18 Dr. Campbell, could you please hold on?

19 Counsel Karnavas, you may proceed.

20 [14.44.03]

21 MR. KARNAVAS:

22 The question, as posed, is vague and irrelevant.

23 It would appear from the doctor's c.v., the part that was quoted,
24 deal with somebody's ability to understand what's going on around
25 them. Whether they have the mental capacity to execute a will or

102

1 whether they're - they're mentally fit. That is not at issue, it
2 is also a general question. Right now we are talking about with
3 respect to Mr. Ieng Sary whether he is capable of assisting in
4 his own defence and following the proceedings -- is able to
5 concentrate.

6 Now, if my learned colleague would like to narrow the scope of
7 his question so that it reflects what we're really at - you know,
8 what is really at issue here. Then I have no objection. But to
9 simply say that the doctor has testified as to whether somebody
10 is competent to execute a will when that is not the issue here,
11 is wholly inappropriate and unrelated, and irrelevant. Thank you.

12 MR. SMITH:

13 Your Honour, all I can say - I don't see how the question could
14 be any more point in terms of this Professor's expertise to be
15 able to provide an opinion as to cognitive ability in relation to
16 - in relation to Ieng Sary. It couldn't be any more on point,
17 Your Honour.

18 (Judges Deliberate)

19 [14.45.48]

20 MR. PRESIDENT:

21 The question put by the prosecutor is relevant. Therefore, the
22 objection by the counsel for the accused person is not sustained.
23 Professor Campbell, you are now directed to respond to the
24 question.

25 MR. CAMPBELL:

103

1 A. Thank you.

2 I assess people with cognitive impairment very frequently and
3 often have to provide a report to the court if the person does
4 not have an appointed person as power of attorney. And often have
5 to comment on their capabilities when they are looking to appoint
6 someone. Very hard to put a number on that but it would certainly
7 arise every month or two of my practice that I have to make a
8 judgement in that area.

9 [14.46.45]

10 BY MR. SMITH:

11 Q. Thank you.

12 Professor, I'd like - now like to turn to two of your reports --
13 that's the 3rd of September report and the 6th of November
14 report. And I'd particularly like to look at the tests that were
15 conducted in relation to determining that Ieng Sary had the
16 cognisant ability to be able to proceed in this trial.
17 In terms of determining if whether someone is fit to stand trial,
18 is it the case that there's two - two areas that are looked at:
19 One, at the cognitive ability; and two, at whether or not they
20 understand the court process and can follow the court process and
21 participate in it - participate in it, as you've noted in the 3rd of
22 September report in relation to the steps or the tests given by
23 the case in Strugar.
24 Are they the general two approaches that are taken to determine
25 whether someone is fit to plead and stand trial?

104

1 [14.48.00]

2 MR. CAMPBELL:

3 A. Yes, that is correct. I have spent most of my time assessing
4 Ieng Sary looking at his cognitive function. That's both in the
5 early reports and, more recently, Seena Fazel and Lina Huot spent
6 more time going over ensuring that he knew the court processes,
7 his ability to plead, the consequences of the action. And, as
8 I've said, I found when I saw him this time that there was no
9 reason to believe that there been any change in his ability to
10 comprehend what the process was.

11 [14.48.35]

12 Q. Thank you. And when we look at the 3rd of September report, as
13 you've stated already, it was prepared by three - three
14 professors. It was yourself, Professor Huot, and Dr. Fazel. In
15 terms of your involvement in determining his cognitive ability,
16 were you involved in that - in the 3rd of September --
17 particularly in relation to the MMSE test that was done, or was
18 your focus more on his physical health? Can you tell us how the
19 work was divided up and, perhaps, how you collaborated?

20 A. In my earlier reports prior to the one -- 3rd of September --
21 I had assessed both his physical capability and his cognitive
22 function. Given that we had Seena Fazel and Lina Huot on the
23 August assessment, I concentrated primarily on the physical, but
24 we did discuss the report and the findings - their findings and
25 my findings -- before completing that report.

105

1 [14.49.49]

2 Q. And when your – if your concentration was more on the physical
3 in – in diagnosing and working out a prognosis and treatment for
4 Mr. Ieng Sary, did you have to have significant discussions with
5 him to discover what his complaints were and other aspects to
6 diagnose him properly?

7 A. Yes, that is correct. I mean, obviously, when one is assessing
8 a person, even if it is primarily a physical assessment, one is
9 very conscious of their ability to give a history, give an
10 account of what's been happening, the consistency of their
11 response. And if there is any cause for concern, one follows that
12 with more detailed questioning around memory and understanding.
13 And in my dealings with Ieng Sary, I have not, at any stage, been
14 concerned about his ability to comprehend, to remember his
15 history, and to give me an adequate account.

16 Q. Thank you. And perhaps if we could just turn to the
17 mini-mental state examination, can you briefly describe to the
18 Court what that entails? And what it's designed to achieve?

19 [14.51.11]

20 A. It's a standardized test which is designed as a test of
21 memory. And it covers areas of orientation both in time and
22 place, short term memory, ability to calculate, and spatial
23 ability as well. And as I've said, he scored when I repeated the
24 test above that level where one is concerned about cognitive
25 function.

106

1 Q. I believe in the – the 3rd of September assessment, he scored
2 28 out of 30; is that correct?

3 And when you conducted the test on the 6th of November, do you
4 remember the score?

5 A. Because of a numbness with his fingers he had difficulty with
6 a pen, so he had difficulty doing the pentagons and the sentence.
7 But he dropped two points, so he scored 26 out of 28.

8 [14.52.17]

9 Q. And so he was able to pick up a pencil – at least attempt the
10 test. He had enough movement to attempt?

11 A. Yes, that is so; he just had difficulty constructing it and
12 sufficient power on it to be able to use it. But in testing the
13 sentence – writing a sentence, there was no concern at all about
14 his speech, the fluency of it, his use of language, so we didn't
15 have any concerns in that area.

16 Q. And just if you can clarify again, when you – when you spoke
17 to Mr. Ieng Sary on the 6th of November, just recently, did he
18 complain of numbness in the hands and feet? And when – when he –
19 well, first, perhaps if you can answer the last question and I'll
20 follow.

21 [14.53.14]

22 A. Yes, he did.

23 Q. And so he complained about some numbness and yet at the same
24 time he could pick up a pencil and at least attempt – he could
25 sort of move his arms and legs but it was a feeling of numbness,

107

1 as opposed to the idea that my limbs are numb I can't move; is
2 that correct?

3 A. That is so. I mean, the numbness is peripheral - distal, and
4 not proximal. He also has marked weakness now, and as I've said,
5 I think, that is primarily because of lack of physical activity
6 over a number of years coupled with his age.

7 Q. And when we talk about peripheral numbness, are we talking
8 about damaged nerve endings at the exterior of our body?

9 [14.54.16]

10 A. Yes, that is so, particularly in the areas he complained of
11 light touch. But, as I've said, his position sense -- that is a
12 sense as to whether I was moving his foot up or down was
13 preserved and his reflexes, distally, were also preserved.

14 Q. Is the term "numbness" and the idea of pins and needles, a
15 tingly sensation perhaps in the - the exterior parts of someone's
16 body? Is that - are you viewing in the same way that, basically
17 that's the condition we're talking about of pins and needles? Or
18 is it something different again?

19 A. Numbness is more an altered sensation as if you feeling it
20 through cotton wool, for example, not as firmly. So that when I
21 brought (unintelligible) down his legs to his feet, he felt there
22 was a change in sensation from just above the ankle.

23 [14.55.19]

24 Q. So, it's not a question of a loss of feeling, it's a - it's a
25 diminished extent of someone's feelings?

108

1 A. You can lose sensation entirely but his was a subjective loss.

2 Q. In relation to determining Ieng Sary's cognitive ability, in
3 addition to this diagnostic test, the MMSE, as part of that
4 process to determine whether they've got good reasoning ability,
5 cognisance ability, do you also part - as part of that discuss
6 with the client or in this case with Ieng Sary, to confirm that
7 that sort of reasoning ability, that awareness is there? So it's
8 not simply just do the test, but there's another component?

9 [14.56.16]

10 A. Yes, that is so, determining if he knew what the process was,
11 why we were seeing him. His abilities, as I've said, to be able
12 to give an account of himself and the symptoms and how accurate
13 that is. And also involving in the discussion questions about
14 family as to get an idea of how accurate he is, and whether he's
15 aware we are there. We discussed Ieng Thirith and what had
16 happened to her, and how often he'd seen her. So, just going back
17 over issues like that to determine what his recall was. And there
18 was no evidence of any impairment there.

19 Q. And is it also the case that you're getting that reasoning
20 ability as well when you're examining Ieng Sary or someone about
21 their physical health as much as their ability to remember short
22 and long term? So is it a combined assessment that you make from
23 your two different lines of inquiry?

24 [14.57.16]

25 A. Yes, that's so. And also one is relying also on other people

109

1 and whether they've noticed any change, and again, there was no
2 indication from the doctors who had been looking after him. And
3 on our earlier discussion, there had been no indication that
4 there had been impairment of his memory or reasoning from those
5 who were looking after him.

6 Q. And in your - in your discussions with Ieng Sary just recently
7 in relation to his cognisant ability, I think you've explained a
8 little already what that discussion was and the types of things
9 you talked about. Can you sort of wrap that up for us and - and -
10 and tell us the topics that the content and - and sort of the
11 length of time and you discussed those particular areas?

12 [14.58.17]

13 A. We discussed his current situation, his history, as I've said,
14 and taking an accurate account, as we could, of his sense of
15 dizziness, what that actually meant, when it occurred, and he's
16 been quite consistent in his discussion there. In terms of
17 talking around his family and background, we did that at the end
18 of the session to ensure that it was at a time when he'd been
19 interacting, concentrating with us for some time. And there was
20 no impairment there.

21 Q. Thank you.

22 Some questions were asked earlier about the qualifications
23 perhaps of the - of the - of the doctor, Dr. Fazel and Assistant
24 Professor Huot; as to their qualifications to be conducting
25 assessments in relation to fitness to plead. And as you've said,

110

1 back in August, they conducted assessments and they asked him
2 some - some specific questions that focused more - well, on the
3 fitness to plead or the ability to participate in the trial
4 aspect, aside from the - the purpose of determining the level of
5 cognisance generally. I would like perhaps if we can move to that
6 report, the 3rd of September. And I know you have obviously from
7 today a very good memory. But perhaps if we could look at the
8 fifth page, it's ERN 00846191 of that report which is E11/86/1
9 and that's seems to be just after the beginning of a recording of
10 their assessment of Ieng Sary's ability to understand the trial
11 process. And in that report it appears that the two professors
12 have used the Strugar test which highlights the factors - the
13 legal factors that courts seem to want psychiatrists or people
14 doing these tests to consider to be able to help them understand
15 whether or not an accused is fit to stand Trial.

16 [15.00.55]

17 If we look at, if we move to page 6, could you just advise us
18 again of the seven criteria that the Court have asked and you
19 applied in this report to determine whether he has fitness to
20 stand Trial -- those seven criteria.

21 MR. KARNAVAS:

22 If I may briefly--

23 MR. PRESIDENT:

24 Professor Campbell, could you please hold on?

25 And, Counsel Karnavas, you may proceed.

111

1 [15.01.21]

2 MR. KARNAVAS:

3 Thank you, Mr. President. I would object to any specific
4 questioning to the September report because that's not the
5 purpose of the - of the hearing here today. The gentleman, the
6 professor indicated that he knows what the standards are. So he
7 can - so the Prosecution can simply ask what criteria are in the
8 Strugar test and whether he looked for those particular criteria.
9 But to anchor the question based on a report that was written by
10 others who are outside the expertise of this particular doctor, I
11 would object to. Especially since we are here for this particular
12 report that this professor has - has conducted based on his most
13 recent tests and since September, Mr. Ieng Sary has spent almost
14 two months in hospital. Thank you.

15 [15.02.19]

16 MR. SMITH:

17 Your Honour, counsel has just said that this professor doesn't
18 have any expertise in this area of determining whether someone is
19 fit to stand Trial and assuming also that he hasn't sort of got
20 any expertise to deal with cognisant ability of old people. That
21 is his expertise; he's a geriatrician, that's his specialty. And
22 we've heard, just a moment ago, him outline the amount of work
23 and experience he's done in this process and in New Zealand in
24 dealing with this very issue. So for counsel to say he doesn't
25 have any expertise in this area is dumbfounding at the least.

112

1 That said - that said he also - counsel also stated that this
2 report was not written by him. This report was written by him in
3 collaboration with the other two. We've just heard testimony from
4 the professor talking about how they worked together. How they
5 divided work and how they collaborated and how their opinions on
6 these issues reinforced each other. So it's wrong to say that he
7 actually didn't write the report. He signed the report and
8 perhaps - you know I could ask the question, would you have
9 signed the report if you didn't believe in its contents. The fact
10 of an expert being able to refer to his own report is - that's
11 obvious, and that's how we've been proceeding. And the fact that
12 whether or not this professor was actually in the room when these
13 questions were asked is not the issue. The issue is, what
14 information can he assist the Chamber with.

15 [15.04.07]

16 This issue was raised by defence counsel about the qualifications
17 and ability for the assessment to be correct on the 3rd of
18 September. It's clearly a relevant issue. This test was done so
19 closely to the test that was done only a few days ago. It's
20 absolutely relevant and I would ask that the professor be able to
21 answer questions on this.

22 [15.04.40]

23 MR. KARNAVAS:

24 Mr. President, the Prosecution has just mischaracterized my
25 objection.

113

1 First of all, we are here for his - to take testimony from the
2 doctor concerning his most recent examination. Second of all, I
3 am unaware of the doctor's specialty in forensic psychiatry. Now,
4 if he is, he can tell us that, but that's what I was alluding to.
5 No one has suggested that the doctor is - this doctor - this
6 professor is not qualified in the profession in which he's told
7 us he is. Nor have we called into question those doctors from
8 September 3, but we have made it a point of saying that - we have
9 - we have shown the report to our expert who seems to indicate
10 that there are reasons to believe that the - the test that were
11 performed were inadequate. So I have no problem with the
12 gentlemen speaking about what he observed on September 3, but we
13 are here for what he did in his most recent evaluation. I think
14 that's what is at thrust. These other two doctors had not come
15 back, and he's not in a position to comment on what they would be
16 saying here today because they weren't here. They haven't tested
17 him. Now he may have an opinion that, were they to appear they
18 would testify the same way as they have in the past. That would
19 be pure speculation and I don't think the doctor is prepared to
20 do so. But he can certainly talk about what his findings were and
21 compare his findings to the findings from September 3. That, I
22 have no objection to.

23 (Judges Deliberate)

24 [15.08.01]

25 MR. PRESIDENT:

114

1 The Chamber would like now to hand over to Judge Silvia
2 Cartwright to address this issue. You may proceed.

3 JUDGE CARTWRIGHT:

4 Thank you, President. The Chamber has deliberated and decided
5 that this is a relevant line of examination by the Prosecutor and
6 that it may, within the time limits available, continue.

7 The Chamber also notes that there was no challenge to the 3rd of
8 September report, and therefore it is part of the context of -
9 and the relevant material that the Court is considering today.

10 Thank you.

11 [15.08.56]

12 BY MR. SMITH:

13 Thank you, Mr. President.

14 Q. Perhaps if I can just remind you of the question, the criteria
15 that you were required to look at certainly as a group to prepare
16 this report under the Strugar test to determine fitness to plead.
17 Could you make that clear to the Court, please?

18 MR. CAMPBELL:

19 A. Criteria were the ability to plead, to understand the nature
20 of the charges, the course of the proceeding, and the function of
21 the people involved in the proceedings, the details of the
22 evidence, to be able to instruct his counsel, and to understand
23 the consequences of the proceedings, and if required, to testify.

24 Q. Thank you. And in - in determining, say perhaps if go through
25 each one in order. In determining whether he had the ability to

115

1 plead, how was that done?

2 [15.10.04]

3 A. That's outlined in the 3rd of September report that was done
4 by Seena Fazel and Lina Huot, they've outlined their methods
5 there. As I've indicated, I did not go through those again on
6 this occasion because they had been done recently and with no
7 evidence either on history or examination that his cognitive
8 function had changed, his ability to understand had changed at
9 all since the report in August.

10 Q. And we talked earlier about you being able to sort of
11 determine a person's cognitive ability in the way they talk about
12 their physical state, their physical health, perhaps as much as
13 their mental health. And so, certainly, in that process, that
14 examination on the 3rd of September – the health, and looking at
15 Mr. Ieng Sary's mental health and physical health -- were the
16 answers that you were receiving from Mr. Ieng Sary and your view
17 on his cognitive ability, and being able to give those answers,
18 were they consistent with the answers that seemed to have been
19 given in relation to these seven criteria on the fitness to plead
20 in terms of cognisant ability and ability to reason and respond?

21 [15.11.42]

22 A. Yes, that was the conclusion of the three of us involved in
23 his assessment at that time.

24 Q. And if I can briefly ask you in relation to his capacity to
25 plead, in relation to that issue, what information did the two

116

1 professors record to satisfy them and ultimately you of that - of
2 that criteria? If -- you can review the report if you need.

3 A. They looked at his understanding of the nature of the crimes
4 of which he was accused, the process by which he would be tried,
5 the consequences if he was found guilty, and his ability to
6 instruct his own counsel in terms of his own defence. And he
7 indicated the methods in which he would do that.

8 Q. And if we look at paragraph 32, how did they record their view
9 as to his - their view that he appeared to have the capacity to
10 plea. What information did they deem relevant in relation to
11 that? Perhaps if you can that information out so it becomes
12 clearer. Yes.

13 A. Paragraph 32: "Ieng Sary appeared to have the capacity to
14 plead and stated that he believed that he was not guilty. He
15 explained that 'If I were the one who had knowledge and made
16 decisions, it's a different story', but that he was 'not involved
17 with that'.

18 [15.13.15]

19 "He explained that to be guilty 'I have to know. I had to have
20 been involved in decision-making', but he believed there was 'no
21 evidence' to prove his guilt."

22 Q. And then in relation to him appearing to have a basic
23 understanding of the crimes with which he had been charged, how
24 did he respond to that?

25 A. Again, to quote from the report:

117

1 "He explained that he'd been accused of 'Crimes against Humanity'
2 which he understood included 'Evacuation of people forced to move
3 out of the country, acts of omission that allowed people to
4 starve to death. As leaders we are accused of forced labour and
5 not providing treatment for patients and crimes against Buddhism,
6 the monks, the Catholics, the prohibition of religious practice
7 including demolition of monasteries and pagodas'."

8 [15.14.12]

9 Q. And in relation to the criteria of understanding the course of
10 the proceedings as including an understanding of court
11 procedures, the speeches of witnesses and lawyers to the Judge
12 and the ability to communicate intelligibly on anything that is
13 said by a witness and counsel, they've recorded his views on
14 that.

15 I'm just wondering if you can explain that to the Court, if you
16 can read that out, please.

17 A. "Microphone not activated) -- the role of the Judge and
18 explained that he would ask his lawyer to challenge anything that
19 a witness said that he thought was not true. We felt that, on the
20 basis of this and related capacities that we tested, Ieng Sary
21 did have the ability to understand the course of proceedings, and
22 I gather that has been occurring; Ieng Sary has challenged or
23 advised his counsel."

24 [15.15.08]

25 Q. And if we move to paragraph 35, they state -- the professors

118

1 state that they:

2 "Have taken the criteria of understanding the details of the
3 evidence as including the ability to point out statements to
4 which he disagrees and the ability to inform counsel of his
5 version of events and any factors that should be brought forward
6 in defence."

7 And then they record their observations of Ieng Sary to this
8 issue.

9 If you can read that out, please?

10 A. What they say is:

11 "On these factors, Ieng Sary appeared to have some capacity. He
12 brought forward consistent defences when we discussed the charges
13 and appeared to have a good memory of the Khmer Rouge period and
14 decisions made by the regime."

15 Q. And if we move on, the professors have stated:

16 "We have taken the related capacity of instructing counsel to
17 include the ability to cooperate with counsel, informing counsel
18 of the facts of the case, and assisting in the preparation of
19 one's own defence."

20 And can you relate their impression of his ability to do that,
21 please?

22 A. "Our impression was that Ieng Sary was able to cooperate with
23 his lawyers and he named his foreign counsel and explained that
24 he had helped him and how he came to choose him from a
25 recommendation from his Cambodian defence counsel."

119

1 [15.16.39]

2 And, again, when I saw him earlier this week asked him about his
3 defence counsel, and he had no difficulty remembering there.

4 Q. And if we move to the second-to-last one, it's recorded that
5 Ieng Sary hardly appeared to have a good understanding of the
6 consequences of any conviction. How was that finding found?

7 A. To quote from the report: "He explained that he would be
8 'imprisoned for life, maybe 10 years or longer'. If he was not
9 convicted he said that he would be free and would go and live
10 with his family."

11 [15.17.20]

12 Q. And dealing with the last criteria now. The professors have
13 stated that: "Our assessment suggested that Ieng Sary did have
14 the ability to testify."

15 Can you just provide the basis for that in relation to that
16 topic? Thanks.

17 A. "He seemed to have a good understanding of Court procedures.
18 He stated that he expected that all the Judges would ask him
19 questions and then he would be posed more questions from the
20 prosecutors, followed by the civil parties, and maybe the defence
21 counsel of the co-accused. And he named the two co-accused. He
22 explained that he would try to answer all these questions."

23 [15.18.02]

24 Q. And in that 3 September report, and it's been discussed
25 already in Court, it was your opinion, along with the two other

120

1 professors, that:

2 "Ieng Sary did not suffer from any mental illness or cognitive
3 impairment beyond what would be expected for someone of his age
4 and background and, therefore, have no recommendations to make in
5 relation to mental state or cognitive function."

6 That was your opinion on 3 September; is that correct?

7 A. That is the correct, and there has been nothing in my
8 assessment of him on this occasion that would make me change that
9 opinion.

10 Q. Thank you.

11 Perhaps, now, if I move to perhaps two question -- two last
12 questions on this topic generally and the ability to concentrate.
13 You were asked questions by my learned friend in relation to
14 what, you know, is it possible that Ieng Sary could doze off in
15 the afternoon and not be able to follow the proceedings.

16 And your answer to that scenario was that Ieng Sary would have
17 the capability, the ability to concentrate and follow the
18 proceedings for a whole day, bearing in mind the breaks and the
19 lunches etc.

20 [15.19.48]

21 Can you just explain that a little bit more and the idea that any
22 -- you said that people could doze off but he's got the
23 capability?

24 What I want, perhaps, to get some clarity on is: Are you saying
25 that he has the capability to do it for a day with the breaks,

121

1 but if he wanted to, he could concentrate at a certain level for
2 that whole day? Is that what you're saying?

3 A. Yes, that is what I'm saying. I mean, he is obviously more
4 physically frail now, but in the right circumstances that would
5 not be an issue. The Court sitting sessions are not long and
6 there are certainly adequate breaks in between.

7 [15.20.38]

8 As I've said before, I mean, often people doze off in
9 circumstances. That doesn't mean they haven't the ability to
10 concentrate, it just means that if things aren't very exciting
11 for a time we have that tendency to nod-off.

12 Q. So, ultimately, for you, it's more of a question of
13 willingness to concentrate and participate as opposed to the
14 ability to concentrate or participate?

15 A. Yes, that is so. And, I mean, when there are particular issues
16 which he feels strongly about, I'm sure he'll maintain his
17 concentration. There may be other times during the Court hearings
18 when it doesn't seem particularly relevant to him and at that
19 time his concentration may lapse, but that is something that
20 would happen to any of us.

21 Q. Correct.

22 One question in relation to specialities and expertise; we talked
23 about you having a slightly different opinion about VBI,
24 vertebrobasilar insufficiency syndrome or ischemic. You also said
25 you having a different opinion to the doctor that testified, but

122

1 you also said it's not out of the realms of possibility that that
2 could develop -- or that could occur at some future time.

3 [15.22.14]

4 So, just in terms of that possibility, why would -- how would you
5 say that? Why would that be a possibility?

6 A. What I'm saying is that none of his current symptoms can be
7 attributed to vertebrobasilar insufficiency, and he has no
8 physical signs on examination to indicate that he's had small
9 strokes, for example, because of insufficient blood supply from
10 that system.

11 What I am saying, though, is that he's 87, he's got a history of
12 high blood pressure and he also has a history of coronary artery
13 disease which indicates that his coronary arteries are narrow.

14 [15.22.59]

15 Now, it is highly likely that he has some narrowing of the
16 arteries supplying blood to the head, currently they are not
17 causing any symptoms, but anyone of 87 with coronary artery
18 disease who has high blood pressure is at risk of a stroke in any
19 of the territories, vertebrobasilar territory or the interior
20 circulation.

21 So, even were he to have a stroke tomorrow, again, it would not
22 mean that his current symptoms are due to vertebrobasilar
23 ischemia.

24 Q. And isn't that also the case that people of an advanced age
25 that may be physically fit for their age, many of them end their

123

1 lives with a stroke?

2 A. That is correct. He is at greater risk of course because of
3 his high blood pressure and because of his heart disease, but
4 currently on examination there is no evidence that he has had a
5 stroke.

6 Q. And I'm looking now at a report of the 19th of September,
7 E1187/2, 00848142, and the doctors report of a paradoxical
8 (phonetic) septum with a conserved ejection fraction of 58 per
9 cent.

10 Can you just explain briefly what an ejection fraction is and the
11 figure of 58 per cent, whether that's reasonable for someone of
12 his age even without heart disease?

13 A. The ejection fraction is the proportion of blood in the left
14 ventricle -- that's the main pumping chamber that pumps the blood
15 out to the body -- the proportion of that that's present when the
16 ventricle is finished filling, the proportion of that that's
17 pumped out to the body.

18 [15.25.11]

19 And the 58 per cent is actually a reasonable preservation given
20 that he has had heart attacks before and he has got areas of his
21 heart muscle that are not contracting fully.

22 Q. So, with that ejection rate being reasonable, also with the
23 arteries to the back of the brain not showing much constriction
24 or thinning, would it be fair to say that although there is -- he
25 has a heart -- history of heart problems, at the moment his heart

124

1 condition is stable?

2 A. His heart condition is stable but it is precarious. So it
3 hasn't deteriorated over the time that I have been seeing him. He
4 does have heart failure that's an inability to pump adequately
5 and for pressure to build up behind the heart, but currently that
6 is adequately controlled with his medications.

7 [15.26.13]

8 Q. And just in -- I think I went off topic -- but in relation to
9 some of the other doctors that were seeing Mr. Ieng Sary, isn't
10 it the case that you're not in debate with many of the diagnosis
11 that has been stated, but particularly just in relation to the
12 VBI? Is that correct?

13 A. That is correct, yes.

14 I mean, there was some issue about impairment of the blood supply
15 returning from the brain obstructed by the clavicle or collar
16 bone. I'm not sure whether it was a problem in the transcription,
17 but that's not a plausible explanation; that simply doesn't
18 happen.

19 Q. And just in relation to your expertise as a geriatrician,
20 would it be fair to say that your expertise deals with all major
21 critical functioning aspects of the body because you need to be
22 able to see the interdependency and the interrelatedness of one
23 condition on another because when you get older, we have -- we
24 generate a number of different conditions as opposed to when
25 we're younger and we have -- may have one issue and on that basis

125

1 perhaps we would go to one particular expert.

2 But is it the case that your expertise is different perhaps than
3 the others because you're more familiar with the interdependency
4 of the conditions and the cause-and-effect relationship between
5 them?

6 [15.28.28]

7 A. Yes, that is correct, and in two aspects.

8 Firstly, when we're dealing with people of 87, the older age
9 group, they have a number of comorbidities so it's not just
10 conditions affecting their heart, it's also likely to be
11 arthritis, lung problems, a number of different problems.

12 The second thing is that when one looks at a particular symptom,
13 in a younger person that most commonly can be ascribed to a
14 single etiological causative factor. But in older people, there
15 are often a number of contributing factors to a particular
16 symptom.

17 [15.29.02]

18 Q. And from the doctors that you met that have been examining Mr.
19 Ieng Sary at the hospital here, are any of them, as far as you
20 know, qualified geriatricians, in terms of that being their
21 specialty?

22 A. No, not as far as I'm aware. I'm not sure there are
23 geriatricians in Cambodia given the age structure of the
24 population.

25 MR. SMITH:

126

1 Thank you.

2 Mr. President, just to give you a plan, I believe I would have
3 another five minutes of questioning. My colleague, I think, has
4 about 10 or 11 questions relating to the treatment at the
5 detention facility. We've spoken to the civil parties and they
6 advised us that they may use the 15 minutes or they may use a
7 little bit less.

8 Bearing in mind the time, I would ask that we continue until,
9 say, 10 to 4.00 and then, perhaps, if submissions are required,
10 we would ask that perhaps on another day that we could come and
11 organize our thoughts.

12 If that's acceptable to the President, can I proceed on that
13 basis? Basically, we finish in 20 minutes.

14 (Judges deliberate)

15 [15.31.18]

16 MR. PRESIDENT:

17 Indeed, you may proceed, but then please make sure that you are
18 straight to the point so that we can now finish on time.

19 Counsel for Mr. Nuon Chea, you're on your feet. What is it that
20 you wish to address the Chamber because you are here as an
21 observer rather than the -- and we don't know what kind of point
22 you wish to make.

23 MR. IANUZZI:

24 Thank you, Mr. President. Good afternoon, everyone, and good
25 afternoon, Professor Campbell.

127

1 [15.31.57]

2 First of all, if I may just respond to what you've just said, you
3 said this morning that the other defence teams would be given the
4 floor at the end of the day. That's what I heard you say. That's
5 what everyone on this side of the room heard you say. And just
6 before the lunch break, you said that all of the parties would be
7 given an opportunity at the end; that's what I heard.

8 MR. PRESIDENT:

9 Perhaps that was a misunderstanding because on Tuesday we also
10 told parties to the proceeding that we only allow the counsels
11 for Mr. Ieng Sary to participate in the proceeding and that we
12 allow other parties to observe the proceedings if they would wish
13 to do so. However, we are afraid that other parties other than
14 counsels for Mr. Ieng Sary would not be allowed to make any
15 observation or be heard during the proceeding.

16 You may be seated.

17 MR. IANUZZI:

18 Thank you, Mr. President, if I could just clarify what I--

19 MR. PRESIDENT:

20 You please be seated.

21 [15.33.24]

22 MR. IANUZZI:

23 I would only have one general question for Professor Campbell. It
24 would have nothing--

25 MR. PRESIDENT:

Extraordinary Chambers in the Courts of Cambodia
Trial Chamber – Trial Day 129
Case No. 002/19-09-2007-ECCC/TC
8/11/2012

128

1 You are not allowed to be heard because you are here as an
2 observer rather than the parties to the proceeding, and as an
3 observer you are not entitled to be heard.

4 We hope it is clear. Please be seated and please make sure that
5 you make the most of your time as an observer rather than the
6 party to the proceeding.

7 And the Chamber would like now to proceed to the Co-Prosecutor to
8 continue putting questions to the expert instead.

9 [15.34.10]

10 MR. IANUZZI:

11 My time--

12 MR. PRESIDENT:

13 No, you are not allowed. Please do not interrupt the proceedings
14 because we're running out of time.

15 BY MR. SMITH:

16 Thank you, Mr. President. We'll take heed of your words and be as
17 quick as possible.

18 Professor, I just have two questions. Then my colleague has 15
19 minutes. He will just ask you about whether the standard of care
20 at the detention facility is significant -- sufficient to give
21 Mr. Ieng Sary the best medical option.

22 Q. My last questions are: If we look at the three main complaints
23 that you assessed Mr. Ieng Sary as having, is shortness of
24 breath, the lower back and cervical pain, dizziness and
25 unsteadiness, would you agree that one of the most, perhaps,

129

1 immediate health concerns that Ieng Sary has is that he might
2 fall over because he's so -- the muscles are not as strong as
3 they should be or could be?

4 [15.35.31]

5 And the most immediate thing that might happen to him is if he
6 falls over and then if he falls over he could break a bone and
7 have other complications and that would be extremely detrimental
8 to his health.

9 Is that reasonable to say given the current situation?

10 MR. CAMPBELL:

11 A. Yes, that is a very real risk. Fortunately, he is very aware
12 of that and so does ask for assistance when he does need to move.

13 Q. And my last question is: If that's the case, would you agree
14 with me that aside from him taking the appropriate medication,
15 aside from him having the appropriate personal care, this
16 exercise program you talked about, within the limits that he can
17 do it, is fairly essential in terms of his ongoing health.

18 [15.36.33]

19 And if he doesn't start a program like that to the level that he
20 can with a walker or something similar, then his condition would
21 likely worsen?

22 A. Yes, that is so. He is very weak now. He has a condition that
23 we call sarcopenia which is loss of muscle bulk. It makes him
24 very frail. It makes him very susceptible to falls and fracture
25 but also means that his body reserve to counter any intercurrent

130

1 illness such as an infection, is very much compromised. So he is
2 at risk.

3 And if he is able to participate in a program, this may build
4 that up a wee bit, but it would require his cooperation.

5 Q. And that's my last question.

6 Do you get any sense from him, from your discussions with him,
7 whether he is willing to participate in extending himself a
8 little to exercise to give him more strength?

9 [15.37.48]

10 A. My impression is that that's unlikely. He does, for example,
11 when moving complain a lot of his back pain and that may mean
12 that he's reluctant to actually participate in a program. But if
13 he could undertake one, it may be helpful.

14 Q. Would you say that with the appropriate physiotherapy and
15 coaching and guidance by someone, that would be quite important
16 to give him the confidence and the know-how how to actually
17 begin?

18 A. Yes, because it has to be at a level within his capacity, both
19 cardiac and in terms of his muscle function. It is unfortunate
20 that he's not had any program during the time that he's been in
21 hospital.

22 Q. Thank you, thank you, Professor.

23 I'll just hand over to my colleague who has some questions for
24 you. Thank you.

25 [15.38.54]

131

1 QUESTIONING BY MR. CHAN DARARASMEY:

2 Good afternoon, Mr. President. Good afternoon, Your Honours, and
3 a very good afternoon to you, Professor John Campbell. I am Chan
4 Dararasmeay, National Co-Prosecutor, and I will have a few
5 questions concerning your recommendation you already stated in
6 your report to the Court on 6 November 2012.

7 Q. In your report you -- document E238/4. ERN 0858949 in Khmer,
8 English ERN is 00858700.

9 On point number 19, you indicated that Mr. Ieng Sary -- that he
10 be discharged from hospital. He's not receiving any medical
11 treatment that could not be provided outside hospital. So your
12 recommendation is that he be discharged from the hospital and
13 returned to the detention facility.

14 Could you please tell the Chamber how the medical -- or how the
15 care is carried out at the detention facility to improve his
16 condition at the Court?

17 MR. CAMPBELL:

18 A. Well, I think his condition is stable at present. As I've
19 indicated in the report, there have been no changes to his drugs,
20 medication, over the last two-to-three weeks. So it's not as
21 though his medical condition is being closely monitored with a
22 view to changing therapy.

23 [15.41.05]

24 The main issue at present is his physical dependency and that is
25 being managed by assistance to help him with his standing and

132

1 there is no reason for a person to be in hospital for that to
2 occur.

3 Q. Thank you. Is there a need to change the current system
4 concerning the care of Mr. Ieng Sary because he has already been
5 properly cared at the detention facility should there be a change
6 to the same regime of care?

7 A. He has the doctors at the detention centre who monitor his
8 progress. If there were any deterioration they would be able to
9 call on additional expertise if they needed that. The main change
10 in his condition and requirements is in his increased physical
11 dependency.

12 [15.42.23]

13 So his medical condition can be adequately monitored in the way
14 that it was previously.

15 Q. Can you also please tell the Chamber whether -- what else
16 needed on top of what the medical service provided by the
17 treating doctors who are on standby at the detention facility?
18 So my question is that what should be more services offered by
19 the doctors to him?

20 A. I don't think there's any need for additional medical support.
21 I raised with the doctors at the hospital why they felt he still
22 needed to be in hospital, and the answer I got was to deal with
23 any emergencies.

24 There have not been any recent emergencies. If there were, they
25 could be dealt with at the detention centre. If necessary, he

133

1 could be transferred back to hospital, but there is no need to
2 maintain him in hospital in anticipation of such emergencies. And
3 I'm not sure what emergencies they were actually referring to.

4 Q. Thank you, Professor. Having noted the beds for Mr. Ieng Sary,
5 do you feel that the bed is comfortable enough for a person like
6 Ieng Sary or should you wish to recommend that there should be
7 some changes to the bed condition for him for his better health?

8 [15.44.38]

9 A. In hospital, he's on a bed similar to that in the holding cell
10 and he's perfectly comfortable on that. If he needs to sit
11 slightly propped up to watch the monitor, then that could be
12 assessed to see how comfortable he is in a bed in which the head
13 of the bed can be elevated.

14 If he finds that comfortable, if it doesn't disturb his lower
15 back, then that might well be a useful alternative to have in the
16 holding cell.

17 Q. Do you believe that the food ration offered by the kitchen at
18 the detention facility is adequate for Mr. Ieng Sary?

19 A. Yes, he does have additional food brought in by his family,
20 which he enjoys, and that could certainly continue. There are
21 dietary supplements that can be used and they could be tried to
22 see if he did find them palatable and worth trying.

23 [15.46.05]

24 Q. Thank you. Can you please advise the Chamber as to what kind
25 of equipment be recommended for Mr. Ieng Sary to use in the

134

1 detention facility to improve his physical condition, for
2 example, for allowing him to do exercises?

3 A. I think that would be -- depend very much on the program that
4 the physiotherapist established for him. I don't think it need be
5 sophisticated at all, the main issue is in the strength in his
6 legs and simple ankle cuff weights are usually adequate for
7 building up proximal leg strength which is the critical area to
8 work upon.

9 I mean, it's very important that he continue the exercises when
10 the physiotherapist is not there. So, again, we don't want a
11 particularly sophisticated program, it needs to be a simple
12 program that he can carry out on a daily basis.

13 Q. Thank you very much. Can you please tell the Chamber whether
14 you envisage that there would be some trainings for the medical
15 staff at the detention facility to make sure that heart condition
16 of Mr. Ieng Sary improves?

17 [15.47.52]

18 A. I think there's a very little scope for improvement in his
19 heart condition. They have been monitoring it adequately. If they
20 need additional advice there is the cardiologist that they can
21 call upon for that.

22 Q. Thank you. Based on the current health status of Mr. Ieng
23 Sary, are you satisfied, according to your examination, that the
24 current medical service received by Mr. Ieng Sary at the
25 detention facility is the same as that provided by doctors at

135

1 Khmer-Soviet Friendship Hospital.?

2 A. I mean, it's a different level of service. He does not have
3 daily access to the specialist cardiologist or neurologist, but
4 with his condition stable at present I don't feel that's
5 necessary anyway.

6 Q. I thank you very much. I have the final question to pose to
7 you, and this final question is relevant to your recommendation.

8 [15.49.52]

9 Do you believe that the current service provision at the
10 detention facility is adequate for him to be remained - or to
11 remain at the detention facility rather than being admitted to
12 the hospital?

13 A. I feel in his current condition, which is stable, the medical
14 services available at the detention centre are adequate.

15 I feel he will need additional personal support if he is in the
16 detention centre similar to that which he's receiving in
17 hospital, but that's at the level of nursing or personal care.

18 MR. CHAN DARARASMEY:

19 Thank you very much, Professor Campbell, for your responses to my
20 colleague and to me. I wish you safe travels and all the best.

21 Thank you, Mr. President and Your Honours, for the floor.

22 MR. PRESIDENT:

23 Next, the Chamber would like to hand over to the Lead Co-Lawyers
24 for the civil parties to put some questions to the expert.

25 [15.51.13]

136

1 MS. SIMONNEAU-FORT:

2 Mr. President, on behalf of the Lead Co-Lawyers, I'm going to
3 give the floor to my colleagues Vanly Chet and Pascal Auboin for
4 the questions.

5 MS. CHET VANLY:

6 Good afternoon, Mr. President. Good afternoon, Your Honours. I am
7 Chet Vanly representing the civil parties.

8 I have no questions to put to the expert, but I would like to
9 cede the floor to my colleague for putting questions.

10 [15.51.57]

11 MR. AUBOIN:

12 Good afternoon, Mr. President. Distinguished Bench and all
13 parties here present, good afternoon.

14 Good afternoon, Professor Campbell, I am Pascal Auboin, civil
15 party lawyer.

16 We have heard a number of statements in this Chamber; the
17 Defence, the Prosecution and yourself. The civil parties feel
18 fully confident about the report that you have written and on
19 behalf of the civil parties, I will simply take this opportunity
20 to thank you for your contribution to this process. Thank you.

21 MR. PRESIDENT:

22 Thank you very much.

23 We have little time left. However, we would like -- as indicated,
24 we would like to give opportunity to parties to make some
25 observation but the time is too little for that already.

137

1 The Chamber wishes to inform the parties to the proceedings that
2 the follow-up hearing on this will be conducted on the morning of
3 Monday, 12 November. After this session, the Chamber wishes to
4 hear the testimonies of some witnesses as well.

5 And we already coordinated with the Office of Administration to
6 coordinate with the Khmer-Soviet Friendship Hospital to have Mr.
7 Ieng Sary returned to the detention facility and the Court. And
8 today it is his right, and based on the report by the expert, he
9 can enjoy observing the proceedings, and momentarily the Chamber
10 will send him to the detention facility.

11 [15.54.44]

12 By Monday, the Chamber will continue hearing testimonies of some
13 witnesses and civil parties and at the same time we would like
14 the security personnel to bring Mr. Ieng Sary to observe the
15 proceedings from his holding cell.

16 We may take this opportunity to also seek advice from the expert
17 who is before us today whether it is appropriate for Mr. Ieng
18 Sary to be returned to the holding cell to observe the
19 proceedings. Indeed, a decision on his participation in the
20 proceeding is pending because we have just heard your opinions
21 and report, and that only until -- we won't have any hearing
22 until Monday, so for this time being we would like to know from
23 you what you would say about this?

24 [15.55.52]

25 MR. CAMPBELL:

138

1 Thank you. As I've said in my report, I don't see any medical
2 reason why he should not return to the detention centre providing
3 that the additional personal support that is needed can be
4 provided so that he gets adequate assistance, for example, when
5 he's moving from bed to chair so that he does not have a fall and
6 fracture.

7 (Judges deliberate)

8 [15.59.46]

9 MR. PRESIDENT:

10 The Chamber would like to hand over to Judge Silvia Cartwright to
11 inform the parties and the public concerning Ieng Sary's
12 condition and how we handle him, how whether he should be present
13 or not during the court proceedings when we hear testimonies of
14 some civil parties in the following week.

15 Mr. Ieng Sary has expressly said that he would like to waive his
16 right to participate in the proceedings concerning the -- certain
17 witnesses and civil parties somehow.

18 We would like now to hand over to Judge Silvia Cartwright.

19 JUDGE CARTWRIGHT:

20 Thank you, President.

21 The Trial Chamber notes that it is appropriate to make an interim
22 determination concerning where Ieng Sary will reside pending the
23 delivery of any decision on Ieng Sary's current health status.

24 The Chamber has noted Professor Campbell's opinion that Ieng Sary
25 does not currently require hospitalization.

139

1 The Trial Chamber, therefore, decides that Ieng Sary is to return
2 to the detention centre where he is to continue receiving full
3 medical treatment as before and as discussed during today's
4 hearing.

5 [16.01.30]

6 The Trial Chamber also directs the detention centre to provide
7 additional personal assistance to ensure Ieng Sary's physical
8 safety and to enable him to attend to his more personal
9 requirements such as toileting.

10 The Trial Chamber, therefore, orders that the accused, Ieng Sary,
11 be returned to the detention facility. It notes that the accused
12 has waived his right to be present for the testimony of those
13 witnesses who have been summoned to testify for the remainder of
14 November 2012. Therefore, the Chamber does not require his
15 presence in the holding cells during those hearings.

16 Any decision that the Chamber determines concerning Ieng Sary's
17 health status will follow in due course and an order requiring
18 his attendance in the holding cells will also be given.

19 The Trial Chamber intends to give the parties an opportunity to
20 make oral submissions on Monday, 12 November before we begin the
21 hearing of witnesses. We have not yet determined how long for
22 each of the parties, so perhaps, President, we could briefly
23 confer on that to give the parties an indication of the duration
24 of such oral submissions.

25 (Judges deliberate)

140

1 [16.04.10]

2 MR. PRESIDENT:

3 Thank you very much, Professor John Campbell. The hearing on your
4 testimony as an expert has now come to an end. You are now
5 excused.

6 Your report and testimony will be used as the basis for our
7 consideration and deliberation on the health status of Mr. Ieng
8 Sary to see whether he is fit to be present in the proceedings or
9 not.

10 Now, the Chamber wishes you all the best. We wish you a very safe
11 trip home.

12 Court officer is now instructed to ensure that Professor Campbell
13 is returned home safe and sound.

14 The hearing of today comes to an end. The Chamber will adjourn.

15 [16.05.14]

16 The next hearing will be convened on Monday, the 12th of November
17 at 9 a.m.

18 On Monday the Chamber will be hearing the remarks, observations,
19 by parties to the proceeding concerning the report and opinion by
20 Professor Campbell.

21 Counsel for Mr. Ieng Sary will have 20 minutes for that and
22 Co-Prosecutors and civil parties - rather, Co-Prosecutors will
23 have 15 minutes when the Co-Lawyers for the civil parties will
24 have five minutes. And the final five minutes will be offered to
25 counsel for Ieng Sary to finally reply to this.

141

1 And on Monday the Chamber will also be hearing Witness TCW-507
2 after the floor is given to the parties concerned with regard to
3 the remarks I already stated.

4 Counsel Karnavas, you may now proceed.

5 MR. KARNAVAS:

6 Just one moment. We didn't have an opportunity to thank Dr.
7 Campbell for coming here. We wish to thank him for giving his
8 testimony. He was rather spirited, but nonetheless we do thank
9 him and we wish him a safe journey back home. Thank you very much
10 for coming here.

11 MR. CAMPBELL:

12 Thank you very much. And thanks very much for the courtesy of the
13 Chamber.

14 I might add, as an aside, that I wore today the cufflinks that my
15 daughter gave me, my computer cufflinks, which have CTRL on one
16 cuff - "control" -- and ESC - "escape" -- on the other. So, if
17 things get too tough, I can press both and, woosh, I'm gone. But
18 as you can see, I'm still here, so I haven't needed it. Thank you
19 very much.

20 [16.07.16]

21 MR. PRESIDENT:

22 Security personnel are now instructed to bring Mr. Ieng Sary back
23 to the detention centre.

24 The Court is adjourned.

25 (Court adjourns at 1607H)